

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

SUPERIOR COURT OF NEW JERSEY
CHANCERY DIVISION-MORRIS COUNTY
DOCKET NO. C-3939-84

DIAMOND SHAMROCK CHEMICALS :
COMPANY, : DEPOSITION OF:
 : JOHN BURTON
Plaintiff, :
 :
vs. :
 :
THE AETNA CASUALTY AND :
SURETY COMPANY, et al, :
 :
Defendants. :

Wednesday, March 18, 1987,
Morristown, New Jersey

A P P E A R A N C E S:

MESSRS. CAHILL, GORDON & REINDEL
BY: MARSHALL COX, ESQ.
Attorneys for Plaintiff.

MESSRS. DAY, BERRY & HOWARD
BY: KIM COOKE, ESQ.,
Attorneys for Aetna Casualty and Surety Co.

MESSRS. STRYKER, TAMS & DILL
BY: MICHAEL J. JONES, ESQ.,
Attorneys for Prudential
Reinsurance Co., et al.

Reporting Services Arranged Through
ROSENBERG & ASSOCIATES
161 Eagle Rock Avenue
Roseland, New Jersey 07068
(201) 228-9100

1
2
3 APPEARANCES (Cont'd):4 MESSRS. GRIFFITH & BURR
5 BY: JAMES PABARUE, ESQ.,
6 Attorneys for Commercial Union Insurance Co.7 MESSRS. KUNZMAN, COLEY, YOSPIN & BERNSTEIN
8 BY: STEVEN A. KUNZMAN, ESQ.,
9 Attorneys for Fireman's Fund.10 MESSRS. PHELAN, POPE & JOHN, LTD.,
11 BY: MARYANN C. HAYES, ESQ.
12 Attorneys for American Reinsurance Co.
13 and American Excess Insurance Co.14 MESSRS. SHEFT, WRIGHT & SWEENEY
15 BY: PETER I. SHEFT, ESQ.,
16 Attorneys for London Market.17 MESSRS. MORGAN, MELHUIH, MONAGHAN, ARVIDSON,
18 ABRUTYN & LISOWSKI
19 BY: STEFANO CALOGERO, ESQ.,
20 Attorneys for Home Insurance Co.21 MESSRS. GOLDEN, LINTNER, ROTHSCHILD,
22 SPAGNOLA & DI FAZIO
23 BY: E. RICHARD BOYLAN, ESQ.
24 Attorneys for Royal Indemnity.25 MESSRS. DE GONGE, GARRITY & FITZPATRICK
BY: ANTONIO D. FAVETTA, ESQ.,
Attorneys for National Union Fire
Insurance Co., American Home Insurance
Co., Lexington Insurance Co. and
Insurance Company of the State of
Pennsylvania.MESSRS. SCHENCK, PRICE, SMITH & KING
BY: MICHAEL K. MULLEN, ESQ.,
Attorneys for California Union and
Pacific Employers.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

<u>WITNESS</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>
JOHN BURTON				
By Ms. Cooke	4			
By Mr. Sheft		190		

E X H I B I T S

<u>BURTON NO. FOR IDENT.</u>	<u>DESCRIPTION</u>	<u>PAGE</u>
1	Handwritten notes	59
2	Letter from Burton dated 8/8/67	90
3	Document from Burton to Weiner dated 4/4/60	151
4	Document from Burton to Koskey dated 10/12/59	170
5	Memo from Scoville to Burton dated 7/6/55	173
6	Memo from Padelsky to Jeffries	177
7	Document from Chandler to Cort dated 3/25/65	178
8	Document from Burton to Borrer dated 4/12/60	180
9	Document from Browne to Burton	185

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

(Before Gary M. Talpins, a Certified Shorthand Reporter and Notary Public of the State of New Jersey, held at the offices of Messrs. Pitney, Hardin, Kipp & Szuch, 163 Madison Avenue, Morristown, New Jersey, on Wednesday, March 18, 1987, commencing at 10:05 a.m.)

- - - - -

J O H N B U R T O N, 208 Bowerstown Road, Washington, New Jersey, Sworn.

DIRECT EXAMINATION BY MS. COOKE:

Q. Mr. Burton, my name is Kim Cooke. I represent the Aetna Casualty and Surety Company in this litigation. I'm going to ask you questions today about your employment and about Diamond Shamrock and Diamond Alkali. If any of my questions are not clear, if I ask you two questions at once, please stop me and I will reword the question and if you want to take a break at any time, you are certainly welcome to, for lunch, coffee, for any other reason, just let us know and we will stop. The only other

1 Burton - direct
2 requirement we have is you give verbal answers to
3 the questions so the court reporter can get them
4 on the record.

5 Is that all clear?

6 A. Right.

7 Q. Could I ask you to state your full
8 name and current home address again for the
9 record?

10 A. John Burton, 208 Bowerstown Road,
11 Washington, New Jersey, 07882.

12 Q. And are you currently employed, Mr.
13 Burton?

14 A. No.

15 Q. When were you last employed?

16 A. I worked as a consulting engineer from
17 1961 to -- I sort of gradually wound down about
18 1980 but I didn't stop at any specific time.

19 Q. Were you a consulting engineer for any
20 one company in particular?

21 A. No.

22 Q. For a number of companies?

23 A. Yes.

24 Q. Was that on an independent contracting
25 sort of basis?

1 Burton - direct

2 A. Yes. Actually, during that period, at
3 two different times, I worked as an employee for
4 one period of maybe eight months, I was a plant
5 manager for Bzura Chemical; another period of
6 perhaps eight months, I was plant manager for
7 Montrose Chemical. In those two cases, I was
8 working as a regular employee, as distinct from
9 being independent.

10 Q. Do you recall approximately when those
11 periods were?

12 A. At Bzura Chemical, it was --

13 MR. SHEFT: Excuse me. Could you --

14 Q. Could you spell that?

15 A. B-z-u-r-a. It was in 1961-62; at
16 Montrose, I'm not certain, but I think it was
17 about 19 -- the period of 1966 or thereabouts but
18 I'm not certain of the year.

19 Q. Could you describe for us your
20 education following high school?

21 A. Yes. A bachelor degree in chemical
22 engineering from what was then Rhode Island State
23 College, now Rhode Island, University of Rhode
24 Island.

25 Q. And what year did you receive your

1 Burton - direct

2 degree?

3 A. 1936.

4 Q. Did you pursue any courses after
5 receiving your college degree?

6 A. Not in particular, no, nothing
7 worthwhile. I think I took a course once in
8 business management at Stevens, an evening course,
9 but it's the only thing I can remember.

10 Q. Were you employed immediately upon
11 graduation from college?

12 A. I graduated in June 1936 and I started
13 employment in August of the same year.

14 Q. Where was it that you started in
15 August in '36?

16 A. The U.S. Rubber Company, Naugatuck,
17 Connecticut.

18 Q. And what was your position at U.S.
19 Rubber?

20 A. I had several, two or three
21 positions. They were on the basis of not really
22 professional work, more as a technician. I was
23 sort of being held in these jobs until a
24 professional position opened up.

25 Q. What were your responsibilities

1 Burton - direct

2 initially at U.S. Rubber?

3 A. Doing testing work on fabrics that
4 they used as liners for their footwear.

5 Q. Would this have been chemical testing?

6 A. No, physical testing.

7 Q. Did you then move on to a professional
8 position within U.S. Rubber?

9 A. The next position was testing the
10 mixtures they made in their rubber compounding
11 machines. This was, as I remember, mostly
12 physical testing. Again, this wasn't really a
13 professional job.

14 Q. Do you recall approximately how long
15 you were in these technician type positions which
16 involved testing materials?

17 A. Yes. I left in November of the same
18 year to take a position with Charles Pfizer
19 Company in Brooklyn.

20 Q. When you say the same year, is that
21 still in 1936?

22 A. Right.

23 Q. What position did you take at Charles
24 Pfizer?

25 A. The first job was in the analytical

1 Burton - direct

2 laboratory analyzing their products.

3 Q. Do you recall what particular products
4 Charles Pfizer was manufacturing at that time?

5 A. The principal product was citric acid
6 but there were several other pharmaceutical
7 products and actually, I don't remember
8 specifically what they were at this time.

9 Q. Were they manufacturing any products
10 other than pharmaceuticals at your location?

11 A. No -- wait a minute, I'm sorry, they
12 manufactured oxalic acid because that was a
13 by-product of the citric acid operation. Oxalic
14 acid, as far as I know, is not a pharmaceutical.

15 Q. How long were you in this position at
16 Charles Pfizer?

17 A. Until January 2, 1938.

18 Q. What position did you assume at that
19 time?

20 A. You mean the next position?

21 Q. Yes.

22 A. I started work in February for Heyden
23 Chemical Company in Passaic, New Jersey.

24 Q. Do you recall your position at Heyden
25 Chemical?

1 Burton - direct

2 A. Again, it went through a number of
3 positions. The first was working in the research
4 laboratory.

5 Q. Were you researching any particular
6 product or material in the laboratory?

7 A. The only work I remember specifically
8 had to do with formaldehyde and chemicals derived
9 from formaldehyde.

10 Q. Do you recall what products Heyden was
11 producing in Passaic other than the formaldehyde
12 and formaldehyde derivatives?

13 A. At this plant, Heyden produced
14 salicylic acid -- do you want the whole list?

15 Q. Whatever you recall.

16 A. Salicylic acid, beta-oxynapthoic acid,
17 formaldehyde, methyl salicylate. That's all I can
18 recollect they were producing at that time.

19 Q. For what uses or to what industries
20 was Heyden selling these products?

21 A. Some for the dye industry, some to
22 pharmaceutical and plastics.

23 Q. Was Heyden manufacturing any product
24 that would have been a pesticide or herbicide, to
25 your knowledge?

1 Burton - direct

2 A. No.

3 Q. Do you recall how long you were in
4 this research laboratory position at Heyden?

5 A. Not precisely. It was a matter of
6 perhaps six months.

7 Q. What was your next position?

8 A. A production supervisor in one
9 department of the plant.

10 Q. And did you have actual responsibility
11 for a production line or for the department
12 itself?

13 A. A chemical plant is broken into
14 departments. Each department produces certain
15 chemicals. It's not like a production line in an
16 automobile factory. In my department, we produced
17 beta-oxynapthoic acid and parahydroxybenzoic acid
18 and a crude form of salicylic acid.

19 Q. What was your next position after
20 being a production supervisor?

21 A. There were some other related jobs
22 that I did in connection with formaldehyde and
23 another product that they were going into
24 production on, pentaerythritol and also set up and
25 operated a pilot plant for the production of

1 Burton - direct
2 citric acid. There was one period where I
3 branched out into other operations besides the one
4 department.

5 My next job with Heyden was production
6 manager and chief chemical engineer for a plant
7 that was built in Pennsylvania by the Ordinance
8 Department during World War II.

9 Q. Do you recall when you went to the
10 Pennsylvania plant?

11 A. No, I don't. I would guess it would
12 have been around 1941 plus or minus a year.

13 Q. And what was Heyden producing at the
14 Pennsylvania plant?

15 A. Hexamethylenetetramine.

16 Q. What was the use for that particular
17 chemical?

18 A. That was shipped to another plant who
19 reacted it to form RDX, which was a high
20 explosive.

21 Q. And do you know what this RDX
22 explosive was used for?

23 A. Yes, it was the ingredient, what they
24 called blockbusters. It was an explosive with
25 considerably more explosive power than TNT.

1 Burton - direct

2 Q. Do you recall how long you were in the
3 position of production manager in Pennsylvania?

4 A. No, but it would be on the order of
5 two to three years.

6 Q. What was your next position following
7 the Pennsylvania employment?

8 A. I was plant manager -- or assistant
9 plant manager of a Heyden Chemical plant in
10 Princeton -- rather, I'm sorry, not Princeton,
11 Penns Neck, New Jersey.

12 Q. What was Heyden manufacturing in Penns
13 Neck, New Jersey?

14 A. Penicillin.

15 Q. Was that the only product manufactured
16 there?

17 A. Right.

18 Q. And how long were you at the Penns
19 Neck plant?

20 A. I would guess about six months.

21 Q. Where did you go from the Penns Neck
22 plant?

23 A. I worked for a short interval at the
24 Heyden plant in Garfield -- I'm sorry, the
25 original one I said was in Passaic. It was really

1 Burton - direct
2 in Garfield, New Jersey, not Passaic.

3 Q. So in other words, you returned to
4 that same plant for a second period of time?

5 A. Yes.

6 Q. In what capacity were you at the --
7 did you return?

8 A. The post war planning.

9 Q. Can you describe what that involved?

10 A. Studying the future of the
11 formaldehyde business. The specific project I
12 remember was how Heyden would best be competitive
13 in formaldehyde.

14 Q. What did you do following your
15 interval when you had returned to Garfield?

16 A. I left Heyden and went to work for
17 Rohm & Haas in Bristol, Pennsylvania.

18 Q. Do you recall the approximate year
19 that you went to Rohm & Haas? Do you recall what
20 position you had with Rohm & Haas?

21 A. I don't remember what title I had, but
22 I was assistant in charge of one of the production
23 units.

24 Q. What were they producing in the
25 production unit where you were assisting?

1 Burton - direct

2 A. They had quite a variety of complex
3 chemicals and I have a difficulty remembering
4 specifically what. They were based on -- some
5 were based on sulfur dioxide, which we made by
6 burning sulfur; some I know we started with zinc
7 oxide as a raw material. These were basically
8 chemicals that had to do with the textile industry
9 and no connection with the pesticide or herbicide
10 industry, that's for sure.

11 Q. How long were you at Rohm & Haas, if
12 you recall?

13 A. Approximately three months.

14 Q. Do you remember what position you
15 assumed next?

16 A. Yes. I went to work for J.T. Baker
17 Chemical Company.

18 Q. And in what capacity?

19 A. I was chief engineer of the organics.
20 Baker had started a new division to produce
21 organic chemicals and I was chief engineer of the
22 organic chemicals division.

23 Q. Where was that located?

24 A. In Phillipsburg, New Jersey.

25 Q. Do you recall any particular organic

1 Burton - direct

2 chemicals they were producing?

3 A. At the plant I went to, they were not
4 producing any. The function of this new division
5 was to develop some and go into production. We
6 got sidetracked because at that time, Baker was
7 also making DDT and having trouble with the
8 process and I was assigned to supervise the DDT
9 process, which was a sideline from a regular
10 position. So I supervised DDT production and then
11 we went into production of 2,4-D, benzene
12 hexachloride, a pharmaceutical R-u-t-i-n, Rutin;
13 and some other complex chemicals in the
14 pharmaceutical industry on very small scale and at
15 this point, I don't remember their name.

16 Q. Were you involved at all in the
17 development process for the production of 2,4-D or
18 the benzene hexachloride?

19 A. Yes.

20 Q. What was your role in the development?

21 A. Basically, the way it worked, the
22 laboratory would develop a process and I would
23 further refine the process. I had a small
24 laboratory with a chemist. I would adapt what the
25 laboratory found to make it adaptable to plant

1 Burton - direct
2 production. I would make cost estimates on what
3 the product would cost so in turn, it could be
4 seen whether it was economically worthwhile to
5 enter and design and start up and operate the
6 production unit.

7 Q. And how long were you with J.T. Baker
8 Chemical?

9 A. I would estimate about three years. I
10 think I can work back and think that I left there
11 in either '47 or '48.

12 Q. Do you recall what portion of that
13 time J.T. Baker was actually producing 2,4-D or
14 the benzene hexachloride?

15 A. It would be probably the last year or
16 year and a half. DDT was being produced all the
17 time I was there.

18 Q. The DDT, I take it, was already in
19 production when you arrived?

20 A. Right.

21 Q. Where did you go from J.T. Baker?

22 A. Crown Chemical in Ridgefield, New
23 Jersey.

24 Q. What was your position at Crown
25 Chemical?

1 Burton - direct

2 A. Plant manager.

3 Q. What was Crown producing in the plant
4 where you were located?

5 A. Producing dyes and dye intermediates.

6 Q. For any particular industry,
7 particular use?

8 A. For the dye industry.

9 Q. That makes sense.

10 A. Dye and textile industry.

11 Intermediates would be sold to the dyestuff
12 producers and dyes to textile producers.

13 Q. How long were you at Crown Chemical?

14 A. Somewhere -- three or four months, I
15 would guess.

16 Q. Do you recall where you went following
17 Crown Chemical?

18 A. Wilson Organic Chemicals in
19 Sayreville, New Jersey.

20 MR. COX: Wilson?

21 THE WITNESS: Organic Chemicals.

22 MR. COX: Thank you.

23 Q. And what was your position there?

24 A. Production manager.

25 Q. And what were they producing in that

1 Burton - direct

2 location?

3 A. Dye intermediates. These are
4 chemicals that are further refined to make the
5 dyestuffs themselves.

6 Q. Do you recall approximately how long
7 you were at Wilson?

8 A. I think eight to 12 months, something
9 less than a year.

10 Q. And where did you go next?

11 A. To Kolker Chemical Works.

12 Q. Was that in Newark, New Jersey?

13 A. Right.

14 Q. What was your position at Kolker?

15 A. There I remember specifically it was
16 September 1949 I started.

17 Q. And what position were you in at
18 Kolker?

19 A. I went there as chief engineer but at
20 some point, it was sort of -- I took over
21 production responsibilities. It wasn't a
22 clear-cut -- in effect, I became plant manager
23 shortly after going there, but I don't remember
24 the specifics of it.

25 Q. Do you recall what Kolker was

1 Burton - direct
2 producing when you arrived?

3 A. They were producing DDT, 2,4-D and
4 products from 2,4-D. I believe they were also
5 producing hexachlorobenzene.

6 Q. And when you say products from 2,4-D,
7 are you including acids and --

8 A. 2,4-D is usually thought of as 2,4-D
9 acid, the basic chemical. From that -- but that
10 is not used as a herbicide itself. It is
11 converted either to any one of various amine salt
12 solutions or converted to various esters which in
13 turn are formulated to make emulsifiable
14 concentrates. At the time when I went there, they
15 were producing dry 2,4-D acid for sale plus these
16 other products derived from it.

17 Q. Do you recall how many production
18 buildings Kolker had at the Newark facility when
19 you arrived?

20 A. Yes, there were two.

21 Q. Can you describe what operations were
22 in each of the two buildings?

23 A. In the main building, that had DDT and
24 hexachlorobenzene. I'm not certain, as I say, if
25 hexachlorobenzene was produced at that time.

1 Burton - direct

2 Later, I put in a unit to produce it but I think
3 they were already producing it on a small scale
4 when I went there. I'm not certain. Also in that
5 same building were the offices and laboratories
6 and maintenance shop and general facilities.

7 Q. I take it the 2,4-D acid production
8 and the --

9 A. Yes. The other building was devoted
10 solely to 2,4-D and its derivatives.

11 Q. Was Kolker producing any 2,4,5-T at
12 the time you arrived?

13 A. No. At the time I arrived, a chemist
14 was doing laboratory work on the production of
15 trichlorophenol.

16 Q. Do you recall in what building they
17 were performing this laboratory work?

18 A. Yes, it was in the laboratory on the
19 first floor and I will be doggone if I could show
20 you specifically where it was.

21 Q. The first floor of the main building?

22 A. Yes.

23 Q. And I take it the work the chemists
24 were doing was to develop a production process for
25 trichlorophenol?

1 Burton - direct

2 A. Right.

3 Q. Do you recall whether Kolker then
4 subsequently did go into trichlorophenol
5 production?

6 A. Yes, because that was one of my
7 personal jobs, to handle this trichlorophenol
8 operation, the laboratory work and the design and
9 start-up of a production unit.

10 Q. Do you recall when you started up the
11 trichlorophenol production unit?

12 A. In 1950.

13 Q. And where was that production unit
14 located?

15 A. That was also located in the main
16 building. I have forgotten my direction as far as
17 east, one corner of the main building.

18 Q. Did the trichlorophenol production
19 involve installing new equipment in the main
20 building?

21 A. Yes.

22 Q. Were you involved in the selection of
23 the equipment for that process?

24 A. Yes.

25 Q. Can you describe as well as you recall

1 Burton - direct

2 what equipment had to be purchased for the
3 trichlorophenol process?

4 A. The principal piece of equipment was
5 the autoclave, where the pressure reaction was
6 carried out to produce the trichlorophenol
7 itself. At that time, we couldn't purchase one of
8 the raw materials, tetrachlorobenzene, so we also
9 had to make tetrachlorobenzene for use in making
10 trichlorophenol. That, in turn, involved an
11 agitated jacketed tank and a centrifuge. The
12 trichlorophenol unit, besides the autoclave that I
13 mentioned, needed a condenser and a couple of
14 mixing tanks and a filter.

15 Q. And was the entire trichlorophenol
16 unit in the corner of the building you described?

17 A. Right.

18 Q. Was the tetrachlorobenzene also in
19 that same area?

20 A. Yes, it was adjacent to it.

21 Q. How were the autoclave and the other
22 pieces of equipment in the trichlorophenol process
23 connected to one another, if they were?

24 A. By pipes.

25 Q. When this trichlorophenol unit was

1 Burton - direct

2 originally installed, how did you -- first of all,
3 what raw materials were used in the
4 trichlorophenol process?

5 A. Methanol, 1,2,4 tetrachlorobenzene and
6 caustic soda and I'm not sure, we needed an acid
7 at that time, either hydrochloric or sulfuric.
8 I'm not sure which we used.

9 Q. Other than the fact that Diamond had
10 to produce the tetrachlorobenzene, did it purchase
11 the other raw materials from outside sources?

12 A. If we used hydrochloric acid, that was
13 a product that was produced at Diamond as a
14 by-product of other operations, so we would have
15 used our own. Sulfuric would have been purchased
16 from the outside.

17 Q. And as the unit was initially set up,
18 how did you introduce these raw materials into the
19 autoclave?

20 A. The methanol was pumped up to a
21 measuring tank and then dropped by gravity from
22 the measuring tank into the autoclave through a
23 pipe. The caustic soda was a solid material and
24 on a platform a floor above the autoclave that was
25 emptied through a hopper into the autoclave and

1 Burton - direct
2 the tetrachlorobenzene, I don't remember
3 specifically, but obviously, it would have been
4 handled the same way, brought up to the second
5 floor. Tetrachlorobenzene, being a solid
6 material, would have been dumped in through that
7 same hopper.

8 Q. Did the caustic come in drums?

9 A. I'm sorry?

10 Q. What sort of packaging did the caustic
11 material come in?

12 A. It came in metal drums.

13 Q. And would --

14 A. I would like to correct this because
15 I'm not -- I'm quite sure we used the solid form
16 of caustic soda but at some stage, it's possible
17 we might have used the 50 percent liquid, which is
18 a common way of handling caustic soda. It's
19 immaterial for anything that I know of, but just
20 to be very specific, I'm not positive at all times
21 we used the solid form.

22 Q. And you stated that tetrachlorobenzene
23 would also have had to have been taken up to the
24 second floor. How would it have been packaged or
25 handled?

1 Burton - direct

2 A. It would be put in probably fiberboard
3 containers, fiberboard drums.

4 Q. Did employees have to physically
5 handle either the tetrachlorobenzene or the
6 caustic material at any point in this process?

7 A. Yes.

8 Q. Can you describe what contact they
9 would have had with these materials?

10 A. The tetrachlorobenzene, we had a very
11 poor piece of equipment for it. It was a
12 centrifuge in which the solid tetrachlorobenzene
13 was separated from the liquid and then that had to
14 be manually dug out of the centrifuge with a
15 scoop.

16 Q. I take it, then, the employee would
17 have to scoop out the centrifuge to put the
18 tetrachlorobenzene in the fiberboard drums you
19 described?

20 A. Right. I have to think a minute. In
21 the process we used for trichlorophenol at that
22 time, I believe we handled the trichlorophenol --
23 if you excuse me one minute, I have to think a
24 minute.

25 Yes, I'm quite sure of this, although

1 Burton - direct

2 I don't remember specifically, but I know we had a
3 filtration step and I believe the trichlorophenol
4 itself was acidified and filtered and was removed
5 from the filter manually, like with shovels.

6 Q. So I take it did this filtration step
7 involve an open process?

8 A. Right.

9 Q. Can you describe that for us in any
10 further detail?

11 A. The filter we used at that time, as I
12 remember, would be like this end of this table, a
13 filter medium of cloth or other materials on the
14 bottom and you pump in the mixture of solid and
15 liquid onto it and the liquid drains through the
16 filter and the solid stays on top. At the end of
17 the batch, then the solid is shoveled off
18 manually.

19 Q. And what would the employees do with
20 the material they had shoveled off?

21 A. If this is the way I remember it, as I
22 say, I don't remember the specs of it, that would
23 then be transferred into a tank and heat applied
24 to melt it.

25 MR. SHEFT: Could you read that answer

1 Burton - direct

2 back, please.

3 (Whereupon the record was read.)

4 Q. Do you recall any other steps in the
5 trichlorophenol production process which involved
6 an open process or an open tank other than the
7 filtration you described?

8 A. The next step in the -- the
9 trichlorophenol was used to produce 2,4,5-T acid
10 and the reaction of the trichlorophenol with
11 monochloroacetic acid and caustic soda was done in
12 a closed tank but a tank that had an open manhole
13 which would be about 12 to 18 inches diameter and
14 the operator would be making tests at this open
15 manhole. So in effect, he would be exposed to
16 fumes from the trichlorophenol.

17 Q. The operator would then be making
18 these tests during the reaction process?

19 A. Right. The tank was vented to a
20 scrubber system but on the other hand, the
21 reaction was run at the boiling temperature, so
22 there always were some degree of fumes at this
23 open manhole.

24 Q. Do you recall any other steps in
25 either the trichlorophenol production or further

1 Burton - direct
2 down the line 2,4,5-T acid production which
3 involved open vessels or tanks or open filtration
4 processes?

5 A. The 2,4,5-T acid, after this reaction
6 step, is then in the form of the sodium salt of
7 2,4,5-T and this was filtered first in open
8 filters of the type that I mentioned before that
9 were used for filtering the trichlorophenol itself
10 and later filtered on a rotary filter, which again
11 is totally exposed to the atmosphere. Then the
12 final 2,4,5-T acid itself is separated from a
13 liquid in the centrifuge except in this case, we
14 had a better designed centrifuge so that there was
15 a mechanical device for removing the 2,4,5-T acid
16 from the centrifuge, rather than scooping it out.
17 But there would be all through this some exposure
18 to the operators of vapors from the 2,4,5-T acid
19 or from any trichlorophenol that had not been
20 completely removed from it.

21 Basically, in this filtration step,
22 subsequent to the 2,4,5-T reaction, any unreacted
23 trichlorophenol was removed but that removal was
24 never 100 percent.

25 Q. Where you had the second centrifuge

1 Burton - direct

2 step or the centrifuge in the 2,4,5-T area and the
3 mechanical device for separating the material, did
4 the employees have to come into any contact with
5 material at that separation step?

6 A. No direct contact except perhaps
7 occasionally, when the centrifuge had to be
8 cleaned out.

9 Q. Do you recall how the centrifuge was
10 cleaned out?

11 A. It was a matter of taking a spatula
12 blade and reaching in and scraping any residual
13 2,4,5-T on the cloth and washing it out and then
14 applying a coat of filter aid for use in
15 subsequent batches.

16 Q. You stated that in the 2,4,5-T
17 filtration step, I believe you stated that excess
18 trichlorophenol would be separated out. Was that
19 your testimony?

20 A. After the reaction to make 2,4,5-T,
21 both the 2,4,5-T that is produced and the
22 trichlorophenol are in the form of their sodium
23 salts. So in this subsequent filtration step, the
24 sodium salt of trichlorophenol is water soluble
25 and is separated from the sodium salt of the

1 Burton - direct

2 2,4,5-T which is insoluble.

3 Q. At the time that Kolker initially
4 started the 2,4,5-T production in 1950, do you
5 recall what was done with the sodium salt of TCP
6 that was separated out?

7 A. Yes, that was recycled and used over
8 again.

9 Q. How was it used over again? In other
10 words, in what --

11 A. The same as the original, it was
12 equivalent to the material we produced
13 originally. So it would be used exactly the same
14 way, or charged into subsequent 2,4,5-T batches.

15 Q. In what step of the process would you
16 introduce the recycled material?

17 A. Into the 2,4,5-T acid reactor.

18 Q. You stated earlier that there would be
19 points, I believe your words were "all along"
20 where employees would be exposed to fumes in the
21 TCP process and the 2,4,5-T acid process, as
22 well. Can you recall any specific locations other
23 than a manhole and the filtration steps you have
24 already described where employees would be exposed
25 to fumes?

1 Burton - direct

2 A. Those are the principal points. The
3 single biggest point would be in the filtration of
4 the trichlorophenol and the trichlorophenol
5 production. The next point of greatest contact
6 would be in the 2,4,5-T reaction because of the
7 high temperature. There would be a large exposure
8 in the filtration except from a chemical point of
9 view, at that time, both the trichlorophenol and
10 the 2,4,5-T acid in the form of the sodium salts,
11 which renders them relatively nonvolatile, in
12 other words, it's totally different, from a
13 chemical point of view, of handling those when
14 they were in their acid state, and then in the
15 centrifuge step itself would be another point,
16 that if there was any trichlorophenol left, again,
17 the centrifuge was connected to a vent system
18 where we had the tanks in that building connected
19 to an exhaust blower and a vapor scrubber located
20 on the roof.

21 But for practical purposes, in those
22 days, the centrifuge itself acts as a tremendous
23 air pump and it would be impossible to keep all
24 the fumes from it -- I wouldn't say it was
25 impossible, but all the fumes from it were not --

1 Burton - direct

2 some of them escaped into the air, particularly
3 around where the operator was doing the
4 centrifuging, was exposed. So if there were
5 trichlorophenol left in the product at that time,
6 and certainly there was some very small amounts,
7 there would be heavy exposure to the operator
8 operating the centrifuge.

9 Q. If we could back up a step, I would
10 like to ask whether you recall any vents or valves
11 or openings on the initial trichlorophenol
12 autoclave?

13 A. Will you repeat that, please?

14 Q. I'm trying to determine whether there
15 were any vents or valves or openings, such as the
16 manhole that you described. Were any of those
17 types of openings on the trichlorophenol
18 autoclave?

19 A. The manhole had to be open to charge
20 the materials in. After that, the manhole was
21 closed and this was a pressure operation of three
22 or 400 pounds per square inch pressure, so
23 obviously, everything was very tight thereafter.

24 Q. Other than the vents which you
25 described that connected into the scrubber system,

1 Burton - direct

2 do you recall any vents that were connected to the
3 process equipment or piping and opened into the
4 plant building, as opposed to going through the
5 roof, for example?

6 A. Yes. For example, the solid material
7 from the filter was put into what we call the
8 slurry tank, which was simply to take that solid
9 and mix it with water to make a mixture that we
10 could pump. That tank was a completely open tank,
11 no effort made to connect it to a vent system,
12 because there was no perceptible fumes from it.
13 Again, this is now in the form of the sodium salt,
14 which is nonvolatile.

15 Q. Do you remember any other vents or any
16 vents that opened into the building where fumes
17 may have been emitted?

18 A. No.

19 Q. You stated that Kolker began this
20 2,4,5-T, started out with trichlorophenol
21 production, that is, in 1950. Did they begin
22 2,4,5-T acid production at the same time?

23 A. Yes.

24 Q. And do you know how long Kolker
25 continued to produce trichlorophenol and 2,4,5-T

1 Burton - direct
2 acid?

3 A. They were producing it all the time I
4 was there until I left in 1960. The
5 tetrachlorobenzene part of it, we stopped that
6 after perhaps a year. When we were able to buy
7 the material, we bought it rather than produced
8 our own.

9 Q. And at some point, did another company
10 acquire Kolker?

11 A. Diamond Alkali bought Kolker, I'm
12 quite sure it was 1951.

13 Q. Were there any changes in your
14 responsibilities at the time that Diamond acquired
15 Kolker?

16 A. Not officially, although unofficially,
17 when Kolker was there, Charles Kolker and Lee
18 Kolker both -- it was a loose organization,
19 although I was nominally plant manager, they were
20 directing a lot of things, whereas when Diamond
21 bought it, there was a more clear-cut line of
22 responsibilities, since Diamond headquarters were
23 located in Cleveland.

24 Q. So, then, I take it you worked as the
25 plant manager at the time that Diamond purchased

1 Burton - direct

2 Kolker?

3 A. Yes.

4 Q. Were your primary responsibilities at
5 that time supervising the operation of the
6 trichlorophenol and 2,4,5-T or were you
7 responsible for all products?

8 A. To be specific, at the time when
9 Diamond bought Kolker, I was responsible for all
10 production operations and for all laboratory --
11 all technical operations, laboratory and research
12 work. I had no responsibilities for the office
13 personnel or for sales.

14 Q. Did the different product lines or
15 different process areas have managers who reported
16 to you?

17 A. At this time, yes, there was one
18 foreman in charge of the DDT operation and another
19 foreman in charge of the 2,4-D and 2,4,5-T
20 operation. I don't recollect exactly the
21 trichlorophenol operation, whether I might have
22 looked after that myself or whether it fell under
23 one of these foremen, I don't remember, but there
24 was at least one person normally between me and
25 the operators themselves in terms of supervision.

1 Burton - direct

2 Q. Did you continue as plant manager
3 throughout the time of your employment at the
4 Newark location?

5 A. Right -- well, no, not precisely. In
6 19 -- February 20, 1960, when the plant blew up,
7 that ended my plant manager status. I didn't
8 officially leave the company until I think it was
9 about July 1st of that same year.

10 Q. Up until the date you just referred
11 to, when you said the plant blew up, do you recall
12 any significant changes in the trichlorophenol
13 process or 2,4,5-T process?

14 A. In 19 -- let me -- I want to refer to
15 an old table I have of different activities to be
16 sure I get the right dates.

17 Yes, in the latter part of 1954, we
18 made a major change in the trichlorophenol
19 production process.

20 Q. Can you describe that change for us?

21 A. Yes. In this case, we took the
22 product that came from the reaction vessel, which
23 we commonly call the autoclave, distilled off the
24 methanol, which we had also done previously, so
25 that was no change, but then instead of acidifying

1 Burton - direct
2 the material and separating out the
3 trichlorophenol at that point, we took the batch
4 after removal of the methanol and put it in what
5 we call the steam stripper, where we blew steam
6 through it and stripped out the impurities which
7 were left at that point or stripped out most of
8 them, the main impurity being what we call
9 anisole, which is a trichloroanisole chemical.

10 And this was a major change in the
11 process that resulted in less raw material cost
12 and less labor cost. It reduced our manufacturing
13 cost and also reduced the direct exposure of the
14 workers to the product because there was no longer
15 any necessity of this filtration step, which I
16 described before.

17 Q. How did the steam stripping process
18 result in lower raw material costs?

19 A. Because prior to that, we had taken
20 the material from the autoclave, after we removed
21 the methanol, and then acidified it with
22 hydrochloric or sulfuric acid; then in turn, the
23 trichlorophenol, which was now in the acid form,
24 had to have an amount of caustic soda to convert
25 it back again to the sodium salt. So we saved, in

Burton - direct

terms of chemical terms, one equivalent weight of acid and one equivalent weight of caustic soda.

Q. You stated that both before and after the addition of this steam stripping process, you distilled off the methanol.

A. Right.

Q. Following the TCP reaction. What was done with the distilled methanol?

A. Recycled to another batch.

Q. Did the steam stripping process itself involve the release of any fumes either through process vessels or vents?

A. There would be a vent from the condenser to the vapors from the steam stripper, went through a condenser where the vapors were condensed. In turn, that condenser had to have a vent. As I recollect, we simply vented that outside the building.

Q. Do you recall what was done with the trichloroanisole or any other impurities that were removed in the steam stripping process?

A. The trichloroanisole was recycled to subsequent batches.

Q. Where in the production process would

-- as

he

it

d

t in

since

iving

We

:

ing

ch

ognized

lcohol,

t, we

es.

1 Burton - direct

2 the recycled trichloroanisole be introduced?

3 A. Into the autoclave. It would be -- as
4 far as we were -- the equivalent of
5 tetrachlorobenzene would be charged.

6 Q. And how was it introduced into the
7 autoclave?

8 A. I have no direct memory of it but
9 since it would be a liquid material, we would
10 normally collect it in a tank and transfer it in
11 by a pump, although there is a possibility, since
12 the condenser for it was -- I think the receiving
13 tank for it was located on the second floor. We
14 might have drained it into the autoclave by
15 gravity. I don't remember.

16 Q. Do you recall any other specific
17 impurities stripped off in the steam stripping
18 process?

19 A. We didn't know at this point much
20 about the nature of any impurities. We recognized
21 that the material we got off from the steam
22 stripper was an anisole product, which is a
23 combination of trichlorophenol and methyl alcohol,
24 commonly called anisole, but other than that, we
25 didn't recognize the nature of any impurities.

1 Burton - direct

2 Q. So, then, was all the material
3 stripped off by the steam stripper recycled as
4 trichloroanisole?

5 A. Right. Well, it would be a little bit
6 not quite true because in the steam stripping
7 process, both steam and anisole would be in the
8 distillate and would be condensed. So in our
9 tank, we then ended up with a layer of water and a
10 layer of anisole. The anisole would be insoluble
11 in water and that water was discarded. So
12 presumably, when we discarded that water layer, we
13 discarded some impurities. But I don't know that
14 for sure and don't know what they would be.

15 Q. How was this water discarded?

16 A. Opening a valve and letting it run out
17 to the river.

18 Q. Was the trichloroanisole completely
19 water insoluble?

20 A. Nothing is ever completely insoluble.
21 It had a low enough degree of solubility that we
22 didn't consider trying to recover any from the
23 water layer.

24 Q. Other than the addition of the steam
25 stripping process, do you recall any other major

1 Burton - direct
2 changes in the TCP process or 2,4,5-T process up
3 until February 1960?

4 A. We added a second autoclave, but this
5 did not change the process. We increased -- at
6 some point, I don't remember -- maybe I do. Yes,
7 in 1953, in March of 1953, we put a second
8 autoclave into operation to increase the capacity
9 but this did not change the process.

10 Q. Was the second autoclave the same size
11 as the first, if you recall?

12 A. As I recollect, it was twice as big.
13 I think the first was 500 gallons and as I
14 recollect, the second was a thousand gallons.

15 Q. Were these two autoclaves operated
16 simultaneously?

17 A. Yes, they were operated at the same
18 time, but we wouldn't charge them both at the same
19 moment, if that's what you mean
20 by "simultaneous."

21 Q. Did you have one charging system to
22 service both autoclaves?

23 A. No. Since the materials that had to
24 be charged as solids, each autoclave, they were
25 located in the building maybe ten or 12 feet

1 Burton - direct
2 apart, each one would have its own charging
3 hopper.

4 Q. If each had to have its own charging
5 mechanisms, why was it that they were not charged
6 at the same time?

7 A. Just the nature of the operation. An
8 operator is busy charging one, he wants to get it
9 charged and operating smoothly so he doesn't have
10 to pay attention to it before he has the time to
11 charge the second one.

12 Q. So did you have just one operator in
13 the area with the two autoclaves?

14 A. Basically, we had one operator,
15 although it seems to me that in some fashion, he
16 had part-time help for the charging operation.
17 I'm not sure how we arranged that. But I'm quite
18 sure that he had normally someone to help him
19 during the charging operation. I'm not completely
20 sure on that.

21 Q. Did the addition of a second autoclave
22 require duplication of any other equipment in the
23 trichlorophenol process?

24 A. Not that I remember. One other change
25 we made in 19 -- these weren't process changes,

1 Burton - direct

2 but in 1955, I believe about the middle of the
3 year, we began to have trouble with chloracne in
4 the workers and from then, I don't remember the
5 specific thing, but generally from then until
6 1960, they were doing things to improve
7 housekeeping, ventilation and so forth, nothing to
8 do with the process itself, but just trying to
9 take the normal steps to reduce the exposure of
10 the workers to the chemicals.

11 Q. Can you recall the date or nature of
12 any of the housekeeping changes you referred to?

13 A. Not the dates. At one point, we put
14 in a -- we had a system for the workers in general
15 of getting, I think, one change or possibly more
16 but at least one change of clothes a week. The
17 company provided work clothes. I think it was one
18 set a week but the workers in the trichlorophenol
19 unit I think would -- I'm not sure, but I think
20 they were given clothes, a change of clothes every
21 day. But there was special provision for clothes
22 changes by workers in the trichlorophenol unit.

23 Q. Was this only for workers in the
24 trichlorophenol unit?

25 A. Yes.

1 Burton - direct

2 Q. And what was the particular reason
3 that this provision was only made --

4 A. Because we recognized that this
5 chloracne trouble was centered in the
6 trichlorophenol unit.

7 Q. Was there any particular location in
8 the trichlorophenol unit where you seemed to have
9 more chloracne problems than others?

10 A. As far as the workers were concerned,
11 I don't remember specifics, but the man or men who
12 operated the trichlorophenol unit were the first
13 ones to get chloracne.

14 Q. Was 1955 the earliest time that you
15 recall chloracne problems?

16 A. We had chloracne trouble in the early
17 days but we ascribed that to when we were making
18 our own tetrachlorobenzene because we had some
19 impure materials, it was difficult to buy the raw
20 materials for making tetrachlorobenzene and we had
21 some materials of doubtful purity we used as raw
22 material and we had a heavy exposure of the
23 workers in the tetrachlorobenzene operation. So
24 we had some chloracne troubles at that point and
25 it appeared it cleared up. This is hard to tell

1 Burton - direct

2 for certain because this chloracne tends to
3 persist. But to the best of our knowledge, our
4 chloracne trouble that we had earlier was solely
5 due to the manufacture of our own
6 tetrachlorobenzene.

7 Q. So that would have been, I gather, in
8 the 1950 or 1951 time period?

9 A. Right.

10 Q. In that earlier time period, did
11 trichlorophenol and tetrachlorobenzene workers or
12 operators both have chloracne?

13 A. As I remember the two operations, they
14 were physically adjacent and I think in terms of
15 operators, it may have been the same operators
16 involved. We couldn't distinguish one from the
17 other.

18 Q. In that earlier time period, did any
19 workers further down the line in the 2,4,5-T area
20 have chloracne?

21 A. No.

22 Q. Do you recall any time period when
23 there was complete absence of chloracne subsequent
24 to 1950 and 1951?

25 A. As far as I know, from prior to 1955,

1 Burton - direct
2 we certainly had no concern -- I know I had no
3 concern about chloracne. As far as I was
4 concerned, there was no problem. I think we had
5 one man who still had some chloracne, a laboratory
6 worker who had got it and who had worked in the
7 tetrachlorobenzene unit but his chloracne
8 persisted.

9 But as far as danger from it, I know I
10 had no concern about it because there was no
11 evidence that we had any problem.

12 Q. Do you recall the approximate number
13 of employees who had chloracne problems in the
14 1950-1951 time frame?

15 A. There was one man, Joe Boba, B-o-b-a,
16 who was -- he had some degree of technical
17 knowledge, although he was actually working in the
18 plant at that time. I'm not sure quite -- he had
19 chloracne and as I remember, it stayed with him
20 for at least several years. Another engineer who
21 worked in the unit, Seymour Schlossberg, I think
22 he had chloracne but it went away. It might have
23 been someone else, but those are the only two
24 cases I can specifically think of.

25 Q. In the 1950 to 1951 time period, were

1 Burton - direct

2 there any particular efforts that you recall to
3 detect the cause of chloracne?

4 A. No.

5 Q. Were there any particular efforts to
6 limit exposure either to tetrachlorobenzene or
7 trichlorophenol in that earlier time period?

8 A. I don't remember doing anything about
9 it. I think we looked at this as something that
10 well, the Kolkers themselves were pretty much
11 running things at that time. I was nominally in
12 charge, but they were sort of looking over my
13 shoulder.

14 I don't remember -- thinking back, I
15 don't remember what we thought or did about it. I
16 would sort of guess, though, that we probably
17 thought this was temporary, that we were going to
18 be able to buy tetrachlorobenzene in the near
19 future and probably just live through it
20 meanwhile.

21 Q. Do you recall the approximate number
22 of plant employees in the 1950 or 1951 time
23 period?

24 A. I would guess it might be on the order
25 of 50.

1 Burton - direct

2 Q. Going back to the 1955 time period,
3 when you were discussing the chloracne problem
4 developed then, do you recall the approximate
5 number of employees involved in 1955 involved in
6 the chloracne problem?

7 A. No, I don't, and there were varying
8 degrees. Some were serious cases, really serious
9 worries; others were minor that we hoped and in
10 some cases, did go away. I think there were
11 probably three to five cases that we would call
12 serious cases and maybe half a dozen of relatively
13 minor cases. Maybe that half a dozen is
14 exaggerated. Let's say there might be three or
15 four serious cases and three or four minor or
16 temporary cases.

17 Q. Do you recall whether that approximate
18 number significantly increased or decreased at any
19 point between 1955 and 1960?

20 A. My recollection is that it decreased
21 and certainly, I'm quite sure that to some degree,
22 we seemed to have the problem under control in the
23 sense of not developing new cases. See, this
24 chloracne is persistent even after, from the best
25 information I could get, even after a worker no

1 Burton - direct

2 longer is exposed to it, to the chemical.

3 Chloracne may persist for five, ten, 20 years.

4 But I did have the feeling that we were doing

5 fairly well in terms of new cases developing.

6 Q. Do you remember when you concluded

7 that you were doing fairly well in terms of

8 controlling or preventing new cases?

9 A. Probably not until about the last

10 year. I don't remember specific on this, but I

11 know there was sort of a decreasing concern.

12 Q. By the last year, you are referring to

13 the last year you were at the plant?

14 A. '59.

15 Q. Other than the change of clothes for

16 TCP unit workers which you referred to, do you

17 remember any changes, housekeeping changes --

18 A. I remember we went in once and

19 repaired the floors in the TCP unit so they would

20 be properly pitched for good drainage so it would

21 be easy to wash down the floors cleanly.

22 Q. Can you describe the construction or

23 condition of the floors prior to those repairs?

24 A. They were concrete floors but this

25 building was not designed originally for chemical

1 Burton - direct
2 production, so the floors were irregular, not
3 pitched and not necessarily very smooth. In other
4 words, they were -- so what we did is to, in
5 effect, lay a new floor on top properly pitched
6 with a drainage channel so it could be washed down
7 cleanly and I remember doing work in connection
8 with the ventilation system, although I don't
9 remember the specifics of it, but I remember
10 puzzling over how to have a good ventilation
11 system at the point where the operators charged
12 the autoclave, that is, this manual charging step
13 on the second floor above.

14 Q. Why were you concerned about the
15 irregularity of the floors prior to making the
16 floor repairs? What was happening?

17 A. This is always standard in any
18 chemical plant, when you have anything toxic, you
19 want to be able to wash down cleanly. It didn't
20 have anything to do with any particular spills or
21 anything we had, but just standard operating
22 procedure.

23 Q. And how often did you wash down the
24 plant floors in the TCP area?

25 A. I have no idea, probably in relation

1 Burton - direct
2 to when there might have been some sort of spill,
3 but it wasn't any specific procedure, but just a
4 matter of general safety, you want to be able to
5 wash down a unit cleanly for when you do get a
6 spill or any form of leak.

7 Q. Do you recall --

8 A. This I do in all chemical plants where
9 I have the chance to do it. It was nothing
10 particular to TCP.

11 Q. Do you recall any set schedule for
12 washing down plant floors at times when there was
13 not a spill?

14 A. No.

15 Q. Could you describe the drainage
16 channels you referred to?

17 A. I can't remember that particular one
18 in that unit, but as a general practice, we did
19 the same thing over in the 2,4-D building, not
20 necessarily connected with trichlorophenol, but
21 one time -- in 1952, during the vacation period
22 there, we went in and poured new floors through
23 all the 2,4-D building, where we made the 2,4-D
24 acid and 2,4,5-T acid, for the same purpose, to be
25 able to hose down the floors and have them drain

1 Burton - direct

2 clean.

3 Q. You stated the 2,4,5-T acid unit was
4 in the same building?

5 A. The 2,4-D and the 2,4,5-T acid units
6 were in the same building.

7 Q. Do you recall the location or
8 description of these drainage channels in either
9 of these buildings?

10 A. I remember the one in the 2,4-D
11 building, it was a channel that ran down the
12 center of the building starting from the river
13 side and going in the opposite direction. I don't
14 remember the location of the channel in the TCP
15 unit.

16 Q. Where did these drainage channels
17 discharge?

18 A. At the river. The plant was adjacent
19 to the Passaic River.

20 Q. Did the channels run all the way to
21 the river from the building?

22 A. I don't remember. There was about ten
23 feet between the -- no, they couldn't have, just
24 thinking back on the nature of the layout, it had
25 to be an underground pipe.

1 Burton - direct

2 Q. Inside the building, was the channel
3 an open channel, a trench?

4 A. Yes.

5 Q. Do you have any recollection of the
6 width of the channel or depth?

7 A. About 12 inches by 12 inches.

8 Q. Was it an open channel at any point.
9 outside the building or did it go immediately to
10 an enclosed pipe?

11 A. I'm not certain, but there was another
12 electrical room between the building, between the
13 2,4-D part of the building and the outside of the
14 building and then there was about ten or 12 feet
15 of clear open area before you got to the river and
16 I have no recollection of any drainage channels
17 running through there, so I assume it had to be an
18 underground pipe at that point. You wouldn't want
19 these fumes running through the electrical room,
20 in any event.

21 Q. You now described the installation of
22 new floors and some work in the ventilation
23 system. Do you recall any other housekeeping
24 measures to limit exposure to either vapors or
25 materials in the process in the Newark plant?

1 Burton - direct

2 A. I know we experimented with protective
3 creams. We had some indication, at one point I
4 talked to Dow Chemical and Hooker Chemical about
5 the possible utility of protective creams. I know
6 we supplied protective creams to workers in the
7 trichlorophenol unit or to maintenance workers who
8 were going to do work in there, although this was
9 a voluntary matter and we weren't certain whether
10 they were helpful or not.

11 Q. You stated before that there may have
12 been three or four serious cases, three or four
13 mild cases of chloracne in the 1955 time period.
14 Were all of those cases limited to workers within
15 the trichlorophenol unit?

16 A. No. We had two cases I can remember
17 specifically and I think there was a third one in
18 the maintenance crew. At one point, there was a
19 man who operated in the ester unit, that is, where
20 the 2,4-D acid and 2,4,5-T acid are converted to
21 esters. We had one man in there who had, say, a
22 mild case of chloracne and another man who had a
23 bad case and he was doing office work, but he had
24 been doing something in the plant before and I
25 don't remember what work he was doing in the plant

1 Burton - direct

2 before.

3 Q. Were maintenance workers assigned to
4 any particular area of the plant or could they
5 work all over the plant?

6 A. No, normally the trichlorophenol
7 operators, for example, would be working in that
8 unit, only. Maintenance men, they would work
9 anywhere.

10 Q. Do you remember the approximate number
11 of employees in the trichlorophenol unit in the
12 1955 to 1960 time period?

13 A. Normally we ran it three shifts a day,
14 which means we had to have three workers or if we
15 were running seven days a week, sometimes we would
16 have to have four. In other words, we would have
17 to have a minimum of three or four skilled
18 workers.

19 Q. Is that on each shift?

20 A. No, one man on each shift. As I said
21 before, they had some help, unskilled help in
22 charging the autoclaves.

23 Q. Do you recall the approximate number
24 of employees in the 2,4,5-T area in the 1955 to
25 1960 time period?

1 Burton - direct

2 A. It varied considerably depending on
3 our production requirements, production schedule,
4 but on any given shift, it would normally range
5 from two to five men per shift. At times, we ran
6 on a five day week, so there were only three
7 shifts; at times, we ran on a seven day week, in
8 which case there were four shifts. So in other
9 words, they could range from ten to 28 people.

10 Then in the ester unit, which we
11 considered separately from the 2,4-D unit, because
12 physically, there was a wall between, it would be
13 one or two men per shift in there and in the
14 formulation unit, where the esters or amines were
15 blended with solvents, emulsifiers and packaged,
16 that was pretty much a seasonal operation. So
17 that some times of the year there might be no one
18 there and other times, there might be 12 or 15,
19 perhaps, people employed there.

20 Q. Do you recall any chloracne cases
21 among workers in the formulation unit?

22 A. No.

23 Q. If the formulation process was
24 seasonal, where did the employees work when they
25 were not in the formulation unit?

1 Burton - direct

2 A. We hired some extra help and used some
3 moonlighting help in the formulation unit at times
4 and we had some degree of flexibility in the
5 various operations of the plant and we had no
6 rules as to what man could work anywhere, so if we
7 could slow down one unit, we would put extra help
8 in there and also we had overtime. So by
9 flexibility and the general work schedule in the
10 plant plus hiring some temporary outsiders, we
11 managed. But it was a problem.

12 Q. Do you recall any periods when you
13 were required to bring people in from other areas
14 to work in the trichlorophenol unit?

15 A. No. Normally this would be a skilled
16 job and normally we would never bring one in
17 unless he was working -- after we had the
18 chloracne trouble, it was also voluntary. No one
19 worked there except on a voluntary basis. As I
20 say, it was a skilled job, so it wouldn't be a
21 random putting somebody in there temporarily.

22 Q. Were any housekeeping procedures
23 instituted to limit exposure to the ester workers
24 or to the maintenance workers you described?

25 A. Nothing specific except for the.

1 Burton - direct

2 general feeling that we have a problem, we have to
3 be extra careful. If a maintenance man goes into
4 the trichlorophenol unit, the foreman of the unit
5 has to check the job and verify that everything is
6 in order so he can do the work with a minimum of
7 exposure. In other words, it's just like driving
8 cautiously on an icy day.

9 (Whereupon a discussion took place off
10 the record and a recess was taken.)

11 MR. SHEFT: Back on the record for a
12 second. Before we get going with the questioning,
13 I would like to have Mr. Burton's personal notes
14 marked as Burton number one.

15 (Whereupon the document was received
16 and marked Burton 1 for identification.)

17 MR. SHEFT: Is there another letter
18 there?

19 THE WITNESS: This is just
20 correspondence with the Cahill firm setting the
21 date for coming in.

22 Are we on? One small point I might
23 correct. You asked about this drainage sewer in
24 the 2,4-D building and I talked about its
25 connection to the river. At a later point, we put

1 Burton - direct

2 in a sewer line from the 2,4-D building out to the
3 sewer line on Lister Avenue. At that point, we
4 connected this drainage line into it. That was in
5 1956, to be specific.

6

7 BY MS. COOKE:

8 Q. Thank you, Mr. Burton. I will be
9 coming back to that point.

10 At the moment, I would like to ask you
11 you were referring to some notes this morning and
12 we have just had them marked as an exhibit. I
13 want to ask when you had compiled those notes, if
14 you recall?

15 A. I have no idea. I don't know why I
16 did it or when I did it, but it's sort of a
17 tabulation from 1949 to 1960 of different things
18 relating to the plant operation there. I think
19 maybe I did it myself sometime when I was at
20 Diamond to keep myself oriented as to when we did
21 what.

22 Q. And these notes, then, I take it, you
23 wrote yourself?

24 A. Yes. And they have to do with
25 different things, like when we started a given

1 Burton - direct
2 operation; it also has to do with when new
3 employees came and employees left.

4 Q. This morning, you have told us that by
5 referring to your notes, that there were a few
6 changes made in the TCP process that you recall,
7 TCP and 2,4,5-T process. One you identified as
8 the addition of a second autoclave.

9 A. There weren't changes in the process.
10 The only change in the process was this going to
11 the steam stripping process. I'm sorry, let me
12 correct that again, though. In connection with
13 the study of what was causing the chloracne, we
14 didn't change the basic process, but varied things
15 such as temperature of reaction and so on. Those
16 were continually studied, which had to do with the
17 process itself.

18 Q. Let's separate out and talk about
19 equipment first. Other than the addition of a
20 second autoclave and the equipment involved in the
21 steam stripping process, do you remember any
22 additions to or changes in TCP equipment or
23 2,4,5-T equipment from 1951 up through 1960?

24 A. I don't remember any changes in the
25 TCP equipment except for this switch to the steam

1 Burton - direct

2 stripping process. In the 2,4,5-T, we changed the
3 equipment at one stage in order to be able to
4 increase the production rate.

5 Q. Do you remember what equipment
6 changed?

7 A. We added a -- the first 2,4,5-T
8 batches we made in the 2,4-D equipment, the same
9 equipment exactly. Later, we added -- I don't
10 know when, but at some point, we put in equipment
11 so we could process 2,4,5-T separate from 2,4-D
12 and have the two operations going on at the same
13 time. Then I have a note when we first made that
14 switch, we used what we call Nuch, N-u-c-h,
15 filters for the sodium salt of 2,4,5-D and I see I
16 have a note that in 1955, we replaced that with a
17 rotary filter.

18 Q. When you say that you initially made
19 the 2,4,5-T in the same equipment that you used
20 for the 2,4-D, are you saying that you alternated
21 batches?

22 A. Right.

23 Q. So that you could run a batch of
24 2,4,5-T and then maybe later the same day or next
25 day, you would make 2,4-D in the same process

1 Burton - direct

2 equipment?

3 A. Right.

4 Q. Do you recall whether separate 2,4,5-T
5 equipment was put into place prior to 1955?

6 A. I don't, but I have a note that in
7 1953, we put in the second autoclave in the TCP
8 unit. That would have about tripled our
9 production rate, so I would guess probably at the
10 same time, we added equipment to the 2,4,5-T unit.

11 Q. Do you recall any cases of chloracne
12 at all associated with this combination 2,4,5-T
13 and 2,4-D equipment? This would have been prior
14 to --

15 A. I believe that one centrifuge operator
16 had chloracne.

17 Q. Referring to --

18 A. I think that this man was operating
19 the 2,4-D centrifuge. I think that also
20 handled -- I have to think back a minute on this
21 equipment. When we put in the separate unit for
22 2,4,5-T acid, I don't remember having -- I'm
23 pretty sure we didn't put in a centrifuge for it.
24 The last step in the acid manufacture is
25 centrifuging and I'm quite sure we only had one

1 Burton - direct
2 centrifuge and I can't remember, thinking back,
3 how we handled that last stage in the 2,4,5-T,
4 which we might have done by filters or we might
5 have used this one centrifuge to handle both
6 products. But anyway, the one case that I
7 remember of chloracne in that building was the man
8 who operated -- one of the men who operated the
9 centrifuge.

10 Q. And do you recall whether that was
11 before or after installation of the other separate
12 2,4,5-T equipment?

13 A. It would have been later.

14 Q. You mentioned two different types of
15 filters. You stated in 1955, you switched to a
16 rotary filter. Can you describe each filter to us
17 and what its function was?

18 A. A Nuch filter is sort of like the
19 filter in a coffee pot. The liquid drains down
20 through it and it has a fine screen and then a
21 cloth on top of the screen to separate the solids
22 from the liquids and it can be in various shapes.
23 I have seen them as big as this whole table or I
24 have seen them about this big around. That's a
25 Nuch filter, very simple, old-fashioned version.

1 Burton - direct

2 Q. Is the Nuch filter the open filter you
3 described to us earlier this morning?

4 A. Right.

5 Q. Do you recall the approximate size of
6 the Nuch filter used in the Diamond operation?

7 A. As I said, the trichlorophenol filter,
8 as I recollect, was something like four feet by
9 six feet. In the 2,4,5-T, when we were filtering
10 that, it seems to me we had several kind of odd
11 collections of different sizes.

12 Q. So there was more than one Nuch filter
13 in the 2,4,5-T?

14 A. In the 2,4,5-T, yes.

15 Q. Do you recall the approximate number?

16 A. I would guess about three.

17 Q. Were these three filters in the
18 2,4,5-T area at different process stages or were
19 they all at one stage?

20 A. All the same, doing the same job.
21 Using these filters was a temporary affair and I
22 think we just got ahold of whatever we -- (no
23 further response).

24 Q. And could you explain to us how the
25 rotary filter operated and how many of those you

1 Burton - direct

2 had?

3 A. A rotary filter set horizontally like
4 so.

5 Q. You will have to describe it verbally
6 as much as possible so the reporter can --

7 A. They come in various sizes. Those we
8 had were apt to be maybe six feet in diameter and of
9 maybe ten feet long. So it's a rotating
10 horizontal cylinder. The interior of the cylinder
11 is hollow and is connected to a vacuum and also in
12 has drain lines that lead liquid to tanks. The
13 outside of the filter is covered with a screen and of
14 on top of the screen, there is a cloth called a
15 filter cloth. The material to be fed, which is a
16 mixture of solid and a liquid, is fed lengthways f
17 across the top of the filter -- no, I'm sorry, I
18 take that back, that's wrong.

19 MR. CALOGERO: Could I just indicate ng
20 for the record that as the witness is testifying
21 about this rotary filter, he is holding in his
22 left hand a pencil container and he is describing
23 the filter using an ordinary pencil container.

24 MR. SHEFT: Which is cylindrical in
25 shape and approximately four inches long. ;

1 Burton - direct

2 A. I was wrong when I said the feed
3 material was fed on top. You corrected that?
4 This cylinder is set in the stationary, what we
5 call a pan, which extends perhaps 20 percent or
6 let's say about 20 percent, perhaps, of the
7 rotating cylinder is immersed in this liquid in
8 the pan. The liquid in the pan is this mixture of
9 solids and liquids that we want to filter.

10 As the cylinder rotates, the vacuum
11 inside of the filter sucks some of this liquid in
12 the pan through the filter cloth so that as the
13 cylinder goes around, it has a continuous layer of
14 solids which can range from normally from a
15 quarter of an inch to one inch in thickness.

16 Across, lengthways across the top of
17 the filter are a series of drip pipes or sprays
18 through which wash water is fed to wash the
19 remaining impurities or to wash out the remaining
20 liquid that is still held in this filter cake.
21 When the -- before the surface of the filter
22 reenters the liquid, by various devices, the
23 solids on the filter medium are removed and
24 discharged into another tank so that when the
25 filter medium reenters the liquid stream, it is

1. Burton - direct

2 clean and ready to receive more of the solids.

3 Q. What became of the wash water that was
4 used in the filtering process?

5 A. At various times, it probably was
6 changed. Savings can be made by recycling it,
7 which I think we did sometimes and perhaps
8 sometimes we simply discarded it.

9 Q. And how would the wash water have been
10 discarded?

11 A. Just by gravity drainage to either
12 the -- to this sewer line or into some pipeline
13 that connected to the sewer line.

14 Q. I believe you stated that this rotary
15 filter was installed in 1955. Is that correct?

16 A. The one for 2,4,5-T acid.

17 Q. Was any of Diamond's process equipment
18 connected to the sewer system in 1955?

19 A. The sewer system was put in in 1956.
20 At that time, we connected everything in the 2,4-D
21 building into this new sewer system. The system
22 actually normally drained out through the
23 industrial sewer on Lister Avenue, although we
24 also had a connection so we could drain it into
25 the river.

1 Burton - direct

2 Q. So that when this 2,4,5-T rotary
3 filter was installed in 1955 --

4 A. At that point, it obviously would have
5 been draining to the river.

6 Q. Was there just one rotary filter?

7 A. We had a rotary filter for the 2,4-D
8 acid and later, we added a second one for the
9 2,4,5-T acid.

10 Q. So within the 2,4,5-T acid area, there
11 would only be one rotary filter?

12 A. Right.

13 Q. And was there ever any type of rotary
14 filter in the trichlorophenol process?

15 A. No.

16 Q. Did you continue to use a Nuch filter
17 in the trichlorophenol process up through 1960?

18 A. No, that was stopped -- that was
19 stopped when we went to the steam stripping
20 process.

21 Q. Do you recall any additional equipment
22 additions or changes in the trichlorophenol or
23 2,4,5-T lines between 1950 and 1960?

24 A. No, I don't recall any.

25 Q. Did the reactor in the 2,4,5-T area

1 Burton - direct

2 remain the same, the reactor used in that area
3 remain the same from 1950 through 1960?

4 A. The 2,4,5-T reactor, is that the one
5 you mentioned?

6 Q. Yes.

7 A. We installed it at some later date, I
8 said probably in 1953. That's the one you asked
9 me when and I said I didn't know, but since we had
10 added the second autoclave in 1953, that's
11 probably when we installed the separate 2,4,5-T
12 acid reactor.

13 Q. Okay. I understand. Then did you
14 continue to use the same 2,4,5-T reactor from 1953
15 forward?

16 A. Right.

17 Q. And is that the reactor you described
18 earlier this morning with the manhole?

19 A. The two are designed the same. The
20 one I was talking about this morning was a 2,4-D
21 reactor but the 2,4,5-T reactor was designed
22 exactly the same.

23 Q. So it had the same manhole and the
24 operator would have reached into the manhole at
25 various points in the process?

1 Burton - direct

2 A. Right.

3 Q. You stated when we came back from the
4 break that Diamond also made some changes, I
5 believe it was in temperature and pressure of
6 reactions or experimented with changes, in any
7 event. Do you recall when Diamond experimented
8 with temperature and pressure changes?

9 A. From the time when we had the outbreak
10 of chloracne, we, that is, both myself, the people
11 at the plant and to some degree, at Diamond
12 headquarters, were looking for the source of the
13 trouble, what was causing the chloracne.

14 Q. Was this the 1955 outbreak you are
15 referring to?

16 A. All the times from that time until I
17 left the plant.

18 Q. Do you recall specifically what you at
19 the plant or Diamond in Cleveland was doing to
20 locate the source of the chloracne?

21 A. I remember my first reaction was to
22 send a chemist over to the library to go through
23 the literature to see what could be found in the
24 literature in the way of chemicals that cause
25 chloracne.

1 Burton - direct

2 Q. Do you recall the results of that
3 research?

4 A. He didn't find anything that seemed
5 to -- he found descriptions of chloracne having
6 occurred back at least starting in World War I
7 related to chlorinated naphthalenes. He didn't
8 find anything that seemed to be related to
9 trichlorophenol production.

10 Q. Do you recall other specific efforts
11 to locate the source of chloracne? I should use
12 the word to detect the source in terms of what was
13 causing chloracne.

14 A. I remember that it seemed specific,
15 pretty clear that it had started after we changed
16 the steam stripping process and that we considered
17 going back to the dilution process.

18 Q. Did you ever reach any conclusion as
19 to why there was a problem related to the steam
20 stripping process?

21 A. Only because it clearly occurred at
22 that one time when they made this major process
23 change. I don't think we had any other evidence
24 to back that up. And I'm not certain to what
25 degree we were sure of that, although I went so

1 Burton - direct

2 far once as to prepare an appropriation request
3 for the equipment to go back to the dilution
4 process and to estimate how much that would
5 increase our manufacturing cost.

6 Q. You may have already explained this
7 this morning, sorry for repeating myself, but can
8 you tell us again whether there was any particular
9 exposure to material in any form, liquid or vapor
10 or solid, at the steam stripping step?

11 A. Theoretically, there shouldn't be any
12 except for taking samples to be tested.

13 Q. When you say theoretically, do you
14 recall any --

15 A. Theoretically, that meaning if the
16 pipes never leaked and nobody ever made any
17 mistakes.

18 Q. Do you recall any actual occasions
19 when there were exposures due to either human
20 error or pipes leaking?

21 A. No, not specifically, but this thing
22 occurs from time to time and it's such common
23 occurrence that you don't normally remember it. I
24 think, yes, we did have to -- the steam stripping
25 tank, I believe we had to a couple of times go in

1 Burton - direct

2 and manually clean it out, which meant we would
3 have washed it out to the best of our ability
4 first before anyone went in and at one stage, we
5 were storing the trichlorophenol product, which
6 was sodium trichlor, in sodium salt form, and
7 those tanks would accumulate some salt and again,
8 I believe on a couple of occasions, we had to go
9 in and have someone clean out the salt that had
10 accumulated.

11 Q. Do you remember why it was you had to
12 clean the steam stripping tank on the few
13 occasions you mentioned?

14 A. I'm not even certain of that. There
15 might have been, obviously, some residues
16 accumulated but I'm not even certain that we did.
17 It would probably be, again, this matter of salt
18 accumulation.

19 Q. How did you accomplish the cleaning of
20 the steam stripping tank?

21 A. Just going in and, as I remember,
22 these tanks had a manhole located on the bottom
23 section of the vertical sides and I don't remember
24 specifically doing it, but obviously, what we
25 would do would be flush it with water until we

1 Burton - direct

2 thought we flushed out everything we could and
3 then go in and shovel out the salt.

4 Q. And where would the water run out when
5 you were flushing the tank?

6 A. In that building, it would go to the
7 river.

8 Q. Would it run out of the tank into the
9 channels you described earlier?

10 A. No, the channels I described was in
11 the 2,4-D building. The trichlorophenol unit was
12 in the main building.

13 Q. So how would this water reach the
14 river, the water used to clean the tank?

15 A. There were some sewer lines in that
16 building that we put in and I don't remember the
17 exact layout of them, but it would go through
18 those, through one of those.

19 Q. Did you clean the trichlorophenol
20 storage tanks in the same manner as the steam
21 stripping tank?

22 A. Yes. But as I say, I'm less certain
23 that we cleaned the steam stripping tank.
24 Something rings a bell that we once had to go in
25 it but I remember we had to clean the sodium

1 Burton - direct

2 trichlorophenate storage tanks.

3 Q. Was there any occasion when you had to
4 clean the trichlorophenol autoclave?

5 A. Probably because as a routine
6 precaution, I'm quite sure we inspected it once a
7 year.

8 Q. Do you recall how that cleaning would
9 have been accomplished?

10 A. Flushing it with water. The
11 materials, as far as we knew, were all water
12 soluble.

13 Q. Would that water have also discharged
14 to the river?

15 A. Right.

16 Q. Do you recall any occasions on which
17 you cleaned the 2,4,5-T reactor vessel?

18 A. No. That would normally never be
19 cleaned unless we had to do some repairs on it and
20 I don't remember having to do any repairs on it.

21 Q. Do you recall whether you ever had to
22 make any repairs on the autoclave between 1951 and
23 February of 1960?

24 A. I'm quite certain we never made any
25 repairs on either autoclave.

1 Burton - direct

2 Q. You stated a few minutes ago that
3 theoretically, there should not be exposure at the
4 steam stripping step apart from sampling. What
5 sampling was performed at the steam stripping
6 step?

7 A. I don't remember specifically, but
8 normally a sample would be taken when it was
9 assumed the batch was completed to see if the
10 steam stripping was completed. As I say, this is
11 just thinking of what we would normally do. I
12 don't have any specific recollection of it.

13 Q. Do you recall how the sampling was
14 accomplished?

15 A. No, but normally just visualizing how
16 the tank was set up, we would have a pipe
17 connection on the tank to close off the valves so
18 the operator could open the valve and drain out a
19 sample, but I have no specific recollection.

20 Also there might have been some
21 exposure when the operator, in connection with the
22 anisole recovery, because there we had two layers
23 of water, a water layer and anisole layer, and
24 sometimes with that kind of mixture the operator
25 has to make some physical checks to see where the

1 Burton - direct

2 level is. Again, I don't remember specifically
3 but it's a likely source of having some exposure.
4 And the autoclave batches themselves at some point
5 were sampled before steam stripping.

6 Q. Do you recall how that sampling was
7 accomplished?

8 A. No.

9 Q. Would it have involved reaching in
10 through the manhole on the autoclave?

11 A. No. It would be, again, opening a
12 valve either in the pipeline or in the tank,
13 draining out a sample.

14 During this period, at one stage we
15 also were doing laboratory work on this, so there
16 would have been exposure to laboratory men working
17 on this trying to find the solution to the
18 problem. I don't believe any of those had
19 chloracne trouble, though. But again, that work
20 we would normally do in a hood with good
21 ventilation.

22 Q. What specifically were these
23 laboratory workers working on?

24 A. First we had some work we were doing
25 which was not directly related to the chloracne

1 Burton - direct
2 problem. For example, we wanted to sell
3 trichlorophenol as a material for use in
4 pharmaceuticals. Specifically, we wanted to sell
5 it to Givaudan, G-i-v-a-u-d-a-n Company, and this
6 involved trying to purify our product enough to
7 meet their specifications.

8 Q. Did you ever, in fact, sell
9 trichlorophenol for use in pharmaceuticals?

10 A. We didn't sell it to Givaudan Company,
11 no. We never found practical the work to be
12 needed to make a product to meet their specs.

13 Q. Did you ever sell it to any other
14 company for pharmaceutical purposes?

15 A. No.

16 Q. What other specific laboratory work
17 was done at Newark?

18 A. At a later stage, I believe during the
19 first years of this --

20 Q. When you say, "this" --

21 A. I'm sorry, I have to go back and think
22 a minute.

23 When we first had the outbreak of
24 chloracne, we had no way of evaluating the product
25 as to whether it was chloracne causing or not. At

1 Burton - direct

2 some stage, and I don't remember when, people from
3 Diamond headquarters were in contact with firms in
4 Germany who had been making trichlorophenol and
5 where there had been an outbreak of chloracne and
6 where they had done research to find the trouble.

7 Q. And do you remember whether these
8 German companies, in fact, found the source of the
9 chloracne problem?

10 A. Yes, they did, or at least one of them
11 did. There were, I believe, two, but at least one
12 of them did.

13 Q. Do you recall what that source was?

14 A. Yes, it was what is commonly known as
15 Dioxin, 2,3,7,8 chlorobenzopara-Dioxin.

16 Q. Do you remember when you first heard
17 that at least one German company had found Dioxin
18 as a source of chloracne?

19 A. I, myself, learned it after I had left
20 Diamond's employee.

21 Q. Do you recall how long after you left
22 Diamond?

23 A. Yes, it was in August 1960.

24 Q. You are saying it was --

25 A. August 9, 1960, if you want to be

1 Burton - direct

2 precise. I can't tell you what hour of the day,
3 though.

4 Q. And that is the time when you first
5 learned of Dioxin?

6 A. Right. I will say, though, we had
7 suspicions of a compound of that type but not
8 precisely that one.

9 Q. Why is it you have such a specific
10 recollection of learning about Dioxin on August 9,
11 1960?

12 A. Because this morning, I looked at the
13 old yellow sheet where I made notes when I learned
14 about it.

15 Q. That's a good reason. Do you recall
16 from whom you first heard about Dioxin on August
17 9th?

18 A. The name of the man was on the sheet
19 but I have forgotten because there were two people
20 I spoke to at Monsanto and I have forgotten which
21 one I was talking to at that time.

22 Q. Are you saying you learned this from
23 someone at Monsanto, as opposed to someone at
24 Diamond?

25 A. Right.

1 Burton - direct

2 Q. Do you recall when Diamond first
3 suspected or when, to your knowledge, Diamond
4 first suspected that some similar compound was a
5 cause of chloracne?

6 A. I only know secondhand. I'm quite
7 sure it was 1965, talking to one of the men at
8 Diamond, they said that -- they mentioned Dioxin,
9 which I don't know they gave me the full chemical
10 name. To me it was clear that this was a compound
11 that I had been informed of by Monsanto and that
12 Diamond was putting in a carbon filter to remove
13 this from the product.

14 Q. I believe, maybe I misunderstood, but
15 a few moments ago, when you stated you learned on
16 August 9, 1960, that a German company had
17 identified Dioxin, did you also say that Diamond
18 had suspected some similar compound prior to 1960?

19 A. In these studies that we were doing
20 between 1955 and 1960 at the Newark plant, at
21 Diamond headquarters, some conversations that I
22 had with other producers, some conversations
23 Diamond had with German companies, this was a
24 whole collection of efforts in different ways to
25 pin down this problem. The research department at

1 Burton - direct

2 Cleveland felt by the nature of the reaction, a
3 compound, compounds of that general family but not
4 this specific 2,3,7,8 product were likely to be
5 formed and likely to be the cause of the trouble.

6 Q. Do you recall when in the 1955 to 1960
7 time frame that the research department came to
8 that conclusion?

9 A. It wasn't a firm conclusion at any
10 time. Let's say the trend of thinking was in that
11 direction.

12 Q. Do you recall when that trend of
13 thinking originated?

14 A. No.

15 Q. Can you describe in more detail any of
16 the work that was being done between 1955 and 1960
17 by Diamond?

18 A. We did some work -- at some point, and
19 I don't remember when this was, we sent samples
20 out from the plant at a different stage of the
21 process for having rabbit ear tests done. Are you
22 familiar with the rabbit ear tests from someone
23 else? I can repeat it, but I didn't want to
24 repeat it if it wasn't necessary.

25 Q. Where was this rabbit ear testing

1 Burton - direct

2 performed?

3 A. At the institutional laboratories
4 equipped for that type of work. Apparently, they
5 sent samples to one firm and then later, sent
6 samples to a firm which was a subsidiary of Mellon
7 Institute.

8 Q. Did Diamond employees physically take
9 the samples that were sent on to these other
10 firms?

11 A. Yes. I bring that up because at the
12 point where we had some way of evaluating the
13 chloracnegen properties, what we were dealing
14 with, it became then a reason for having
15 laboratory work done to try to find the conditions
16 that were causing chloracnegen, which could be in
17 the reaction itself in terms of the ingredients
18 charged or the operating temperature or it could
19 be in regard to some step in the process. In
20 other words, there were a number of variables, of
21 which all should be explored to find out which
22 helps or hinders the production of chloracnegen.

23 Q. Do you remember who at Diamond was
24 responsible for taking samples to send to these
25 other firms?

1 Burton - direct

2 A. It would be no specific individual.
3 The operator might take the sample, someone in the
4 laboratory might package it. There would be no
5 one individual at any time or in general.

6 Q. What did Diamond do in terms of
7 experimenting with the variables in the reaction
8 process and other aspects of the TCP or 2,4,5-T
9 process?

10 A. One of the variables was the
11 temperature in the autoclave and we sent out
12 samples at various times from autoclave batches
13 run at different temperatures and then we were
14 looking to see what stage in the process the
15 chloracnogens might be formed or added. So for
16 example, we might take a sample of a batch before
17 it was steam stripped and after it was steam
18 stripped.

19 In other words, the different stages
20 of the process, we would take samples to see if
21 this chloracnegen was present in more greater
22 degree in each step or if it was removed in any
23 step.

24 Q. Do you recall how long this rabbit ear
25 testing continued once it began?

1 Burton - direct

2 A. We were still doing it in December
3 1959.

4 Q. Did you reach any conclusion as to
5 regarding at what stage in the reaction process
6 the chloracnegens were created?

7 A. No. I might add, just to clarify
8 this, that also Diamond picked up some information
9 from a German company, Boehringer, with which
10 Diamond had some commercial dealings at least at
11 one time, and this information wasn't always
12 consistent, but temperatures of 150, 160 to 170 at
13 various times were apparently of mention and so
14 the work we did at the plant was considerably -- a
15 considerable amount of it was set on this matter
16 of determining the safe operating temperature, or
17 if that was a variable.

18 I believe a combination of what we
19 learned indirectly from the German companies and
20 what we learned -- I don't recollect with what
21 degree of certainty, but thinking along the lines
22 that 160 was a safe operating temperature but I
23 don't recollect what degree we were certain about
24 that.

25 Q. Do you recall whether Diamond made any

1 Burton - direct

2 or set any policy or made any process changes to
3 assure that the temperature range of the reaction
4 would be in this 160 degree range?

5 A. No. I believe in 1959, we were still
6 running batches at the 160 to 170 range and taking
7 samples to try to pin this down for sure.

8 Q. Do you recall whether you ever did
9 reach a conclusion regarding the effect of
10 temperature on chloracnogens?

11 A. You mean myself or Diamond?

12 Q. Whether Diamond ever reached a firm
13 conclusion.

14 A. My recollection is that the first
15 operating instructions for the new unit after the
16 plant was to be rebuilt called for a 160 degree
17 operating temperature. But again, I don't know
18 whether that would be called a firm conclusion or
19 not.

20 Q. Did you, yourself, ever reach any
21 particular conclusion about the effect of
22 temperature on chloracne?

23 A. Yes. We weren't 100 percent sure but
24 became quite certain that 160 was the maximum safe
25 temperature.

1 Burton - direct

2 Q. Did you undertake any efforts to
3 recommend that reactions be maintained at 160
4 degrees or below?

5 A. In what connection?

6 Q. For instance, as plant manager, did
7 you institute any procedures or make any
8 recommendations --

9 A. You are talking at Diamond?

10 Q. Yes.

11 A. No. We were still trying to verify
12 that point.

13 Q. But you did --

14 A. But as I recollect, in the new
15 operating instructions for the new unit, that they
16 were initially set up to be a 160 operating
17 temperature.

18 Q. But I think you also stated that it
19 was your personal conclusion that 160 degrees was
20 the maximum safe operating temperature?

21 A. Yes, but this was after I left Diamond
22 and had other contacts with the industry.

23 Q. Do you recall what other variables,
24 either in the initial TCP reaction process or
25 further down the line, were tested or experimented

1 Burton - direct

2 with in an effort to control chloracnegens?

3 A. One point was to control the
4 temperature in the methanol distillation and I
5 believe the information I got on this was directly
6 or -- it would have to be indirectly from the
7 Germans that 110 degrees should be the maximum
8 temperature during the methanol distillation and
9 there was something else about the methanol
10 distillation where when I was designing the
11 process, I added water as the methanol was removed
12 and I'm not quite sure at this point what the
13 purpose of that was, but I remember I was specific
14 about it, after a certain amount of the methanol
15 had been removed, then water should be added in an
16 equivalent amount to the further removal of
17 methanol apparently to avoid the sodium
18 trichlorophenate concentration from getting too
19 great.

20 Also in the steam stripping operation,
21 I had recommended the maximum temperature of 120
22 or preferably a temperature of 110, maximum. This
23 is centigrade temperatures.

24 Q. You personally made these
25 recommendations?

1 Burton - direct

2 A. Yes.

3 Q. Do you recall the approximate time or
4 date when you made the recommendations with regard
5 to the steam stripping temperature?

6 A. August 8, 1967. The reason why I say
7 it, because some of these points I want to refresh
8 my memory on, so it's better to refer to a
9 specific piece of correspondence.

10 Q. Are you currently referring to a piece
11 of correspondence you authored?

12 A. Yes.

13 Q. And was that correspondence you wrote
14 in 1967 making these specific recommendations?

15 A. Yes. This is a letter from myself
16 dated August 8, 1967.

17 Q. And to whom was that correspondence
18 addressed?

19 A. This was to John Drew at Hoffman
20 Laboratories.

21 Q. Did you --

22 MR. SHEFT: Excuse me. Can we have
23 that marked as Burton number two, please.

24 (Whereupon the document was received
25 and marked Burton 2 for identification.)

1 Burton - direct

2 MR. SHEFT: Could we take a break for
3 a second and take a look at that.

4 THE WITNESS: There were things for
5 different recommendations, but when I looked
6 through my files, this seemed most specific.

7 MR. SHEFT: Excuse me. Mr. Burton, do
8 you have any other personal files of documents
9 concerning TCP?

10 THE WITNESS: I have a scattered
11 collection here and there and all the files I did
12 in consulting work are sort of jumbled and mixed
13 up from one project to another. But I do have
14 some -- you might say some others somewhere.

15 MR. SHEFT: Did you bring any other
16 documents with you today to refresh your
17 recollection?

18 THE WITNESS: No, I just brought this
19 because some of these things, my mind got a little
20 fuzzy and this was specific on some points, so I
21 thought it was better to refer to something that
22 was specific.

23 MR. SHEFT: Thank you.

24 THE WITNESS: What I wanted to use
25 this for is refresh my memory on these particular

1 Burton - direct

2 points I thought was critical in the operation.

3 MR. SHEFT: Do you think we can get
4 copies of these documents that we can look at
5 while Mr. Burton is refreshing his recollection?

6 MR. COX: I'm sorry, I don't
7 understand.

8 MR. SHEFT: I would just like to get a
9 copy of the document, Mr. Cox. Do you think you
10 could prevail upon Pitney, Hardin for a Xerox of
11 it?

12 MR. COX: I guess I probably can.

13 MR. SHEFT: Okay.

14 MS. COOKE: Do you want to do it now?

15 MR. SHEFT: Sure, do it now.

16 MR. FAVETTA: If I may, while you are
17 doing that, perhaps we can copy Burton number one
18 because there may be some questions regarding
19 that.

20 MS. COOKE: Do you want to take
21 lunch?

22 MR. SHEFT: Mr. Burton, are you
23 feeling up to going forward or would you like to
24 take a break for lunch? It's your choice.

25 THE WITNESS: I'm in good shape.

1 Burton - direct

2 MR. SHEFT: Let's take a break, then,
3 and get a Xerox of this.

4 MR. COX: Why can't we go ahead and
5 use this?

6 MR. SHEFT: Because we would like a
7 copy to look at.

8 MS. COOKE: I can question him on this
9 and get them copied over lunch.

10 MR. SHEFT: Would it be a problem to
11 get copies?

12 MR. COX: I don't know.

13 MR. SHEFT: Why don't we find out.

14 MR. FAVETTA: Maybe we should break
15 for lunch. You can do them over the lunch hour
16 and start in right after lunch, unless you want to
17 continue.

18 MS. COOKE: That's okay.

19 MR. SHEFT: I think it's really up to
20 the discretion of the witness. Do you have any
21 time limitations on your availability to testify
22 later today, Mr. Burton?

23 THE WITNESS: No.

24 MR. COX: We all have some time
25 limitations. I don't think the witness has to be

1 Burton - direct

2 asked if he has any time limitations. Of course
3 he does. I mean he is --

4 MR. SHEFT: I think he answered the
5 question.

6 (Whereupon a discussion took place off
7 the record and the luncheon recess was taken.)

8

9 BY MS. COOKE:

10 Q. Mr. Burton, let's clear up a few
11 things as we start out this afternoon. You left
12 Diamond's employed in 1960. Is that correct?

13 A. 1960.

14 Q. And are you represented by counsel
15 here today?

16 A. No.

17 Q. This morning, you referred to some
18 notes which we have marked as Exhibit 1 in this
19 deposition, handwritten notes you prepared, and
20 you stated that you did not recall precisely when
21 you had written those notes.

22 A. Which is one?

23 MR. COX: That's the handwritten
24 notes.

25 Q. Do you have any recollection of

1 Burton - direct

2 whether it was within the past ten years that you
3 prepared these notes?

4 A. I don't think so.

5 Q. And were all pages of the handwritten
6 notes written at one time?

7 A. All I know is what I see. I have no
8 recollection of this at all.

9 Q. Do you have any recollection of your
10 purpose for writing these notes?

11 A. No. Well, just knowing myself,
12 though, I think for some reason, I don't know why,
13 to refresh my memory of various things that went
14 on at different times, but I don't know what the
15 occasion was that would make me want to do it.

16 Q. Were these notes something you just
17 kept in a personal file at home?

18 A. Yes.

19 Q. I believe you also stated prior to the
20 lunch break that you have additional documents in
21 a file at home, on apparently a number of topics,
22 from your work as a consultant. Do any documents
23 other than those which you have shown us today
24 refer to trichlorophenol, 2,4,5-T or chloracne
25 problems?

1 Burton - direct

2 A. Yes.

3 Q. Would you be willing to provide one of
4 us with copies of those documents from your files?

5 A. Yes.

6 Q. We can arrange that after the
7 deposition.

8 A. May I ask a clarifying question on
9 that?

10 Q. Certainly.

11 A. I have a whole lot of calculations
12 involved in the design of various plants which
13 have no relation to chloracne but simply material
14 balances and this kind of thing, which is a burden
15 that I don't think is relevant to anything in
16 connection with this case.

17 Q. Let me ask you can you describe the
18 approximate volume of materials that you have got
19 in these files?

20 A. Maybe 100 or 200 sheets.

21 Q. If there are documents relating at all
22 to trichlorophenol or 2,4,5-T manufacture, I
23 believe we would still want copies.

24 MR. COX: Regardless of when they were
25 prepared?

1 Burton - direct

2 MR. SHEFT: Precisely.

3 MS. COOKE: Right.

4 MR. FAVETTA: Can we go off the record
5 a second?

6 MR. COX: No, let's not go off the
7 record. Let's stay on the record. We have been
8 on the record for awhile.

9 MR. FAVETTA: All right.

10 THE WITNESS: I believe it's a problem
11 for me to look through my files and get these out
12 but I have no objection to doing it for the time
13 involved.

14 MR. SHEFT: Thank you.

15 MS. COOKE: Thank you.

16 MR. SHEFT: Along those lines, Mr.
17 Burton, I assume --

18 MR. COX: Could I object to two people
19 asking questions at the same time.

20 MR. SHEFT: I'm not asking a
21 question.

22 MR. COX: You certainly are asking a
23 question. You are asking a question. I want one
24 lawyer at a time to ask questions, not to have
25 people firing --

1 Burton - direct

2 MR. SHEFT: Are you in control of the
3 deposition, Mr. Cox?

4 MR. COX: I'm not in control of the
5 deposition but I think it utterly improper for two
6 people to ask questions of the witness at the same
7 time.

8 MR. SHEFT: Are you representing the
9 witness?

10 MR. COX: I'm representing a party to
11 this case and I have --

12 MR. SHEFT: You have no standing to
13 make an objection if you are not representing the
14 witness.

15 MR. COX: You mean the conduct of this
16 deposition is utterly beyond any kind of control
17 here, anybody can start firing questions? I don't
18 think so, sir.

19 MR. SHEFT: I didn't suggest that.

20 MR. COX: I object to it. I think one
21 person at a time should ask questions.

22 MR. SHEFT: Your objection is noted.

23 Mr. Burton, I would assume you have no
24 objection to our continuing your deposition
25 subject to a review of these documents that you

1 Burton - direct
2 are going to produce from your files?

3 THE WITNESS: No, but I would like to
4 get clear on just precisely what is needed. You
5 said documents related to the chloracnegen
6 problem, for one thing?

7 MS. COOKE: Right, for one thing.
8 Also any other documents you would have relating
9 to trichlorophenol production or 2,4,5-T
10 production.

11 THE WITNESS: Does this include
12 calculations on the design of plants who
13 manufacture trichlorophenol?

14 MS. COOKE: It would be, yes, because
15 the manufacturing process is relevant to this
16 case.

17 THE WITNESS: I have one question, and
18 I wouldn't know, really, until I ran across them,
19 but for example, one project I was involved with
20 was the design of a plant to make Agent Orange for
21 the government and this was done -- the firm that
22 had the contract was Thompson-Stearns Rogers,
23 which Thompson Chemical was part of it. Thompson
24 Chemical manufactured trichlorophenol and to some
25 degree, they had their own process data.

1 Burton - direct

2 From an ethical matter as a consulting
3 engineer, I don't reveal other people's clients'
4 processes. So I have some hesitation about that
5 part of it.

6 MS. COOKE: We would not ask you to
7 give away anything that you felt you had an
8 ethical obligation to keep confidential.

9 THE WITNESS: Okay.

10 MR. FAVETTA: We would only ask,
11 however, you make a note of those items that you
12 would be removing from the file, from what you
13 would be giving to us, so that we would know what
14 was held back and what the basis of the
15 confidentiality or trade secrets position would be
16 without revealing any contents, of course.

17 THE WITNESS: Okay.

18

19 BY MS. COOKE:

20 Q. Mr. Burton, immediately prior to
21 lunch, you were discussing what has been marked as
22 Burton Exhibit 2, an August 8, 1967, letter, which
23 you indicated you had written to Mr. John Drew of
24 Hoffman Laboratories recommending a number of
25 things, including maximum temperatures in a

1 Burton - direct
2 reaction process and also in a distillation
3 process, I believe, on page three, the top of page
4 three.

5 You had stated before lunch that these
6 were your personal recommendations for maximum
7 temperature ranges in a distillation process. Do
8 you recall when you reached the conclusion
9 yourself that certain maximum safe temperatures
10 should be maintained in the distillation process
11 referred to on page three?

12 A. I'm sorry, you were referring to this
13 paragraph on the top of page three?

14 Q. Right.

15 A. No, I don't recollect specifically,
16 although I'm quite positive this is information
17 that was relayed to me from German plants,
18 presumably either Boehringer or Badische.

19 Q. Do you recall whether you received any
20 of the information on the maximum safe
21 temperatures for the distillation process while
22 you were still in Diamond's employ?

23 A. It's possible because we had at least
24 one experience on checking this temperature in the
25 steam stripper, although we were actually checking

1 Burton - direct
2 every step of the operation. So maybe we did that
3 because we had some clue on it or maybe we just
4 did it as a matter of normal checking everything
5 step by step.

6 Q. But do you have any specific
7 recollection whether Diamond received
8 recommendations on distillation temperatures while
9 you were still at the Newark plant?

10 A. No, except as I say, I remember making
11 one experiment along that line, but I don't
12 recollect we ever came to any conclusions on it.

13 Q. On page four of this letter, which has
14 been marked as Burton Exhibit 2, the full
15 paragraph, last paragraph of the letter, you
16 stated that you had heard that Diamond had found
17 tetrachlorodioxin in at least some of its
18 product. Do you recall when you first heard that
19 Diamond had found Dioxin in its product?

20 A. I'm not certain of the year except
21 that at that time, I was doing a project at
22 Montrose Chemical, which is a plant adjacent to
23 Diamond or nearly adjacent to it, and I don't
24 remember the specific circumstances, but there
25 were several people that I talked to at Diamond

1 Burton - direct
2 from time to time just as casual friends or
3 whatever and some of them mentioned this to me.
4 At this time, I don't remember. I may have
5 remembered when I wrote this letter but I don't
6 remember now.

7 Q. Do you remember which Diamond people
8 you were still talking to as of 1967, when you
9 wrote this letter?

10 A. Frank Kennedy, Bill Tobin, Milton
11 Rosenfeld. Those are the only ones I can remember
12 at this time who were at that plant. I spoke to
13 some other people that were not at that plant.

14 Q. What was Mr. Tobin's position, if you
15 recall?

16 A. When I was there, he was foreman of
17 the unit making DDT and trichlorophenol.

18 Q. And was he still a foreman after you
19 left Diamond and were conversing with him as
20 friends?

21 A. I believe he was a foreman in charge
22 of, at this time, of the chlorination unit, which
23 made monochloroacetic acid and dichlorophenol.
24 I'm not certain whether that included
25 trichlorophenol or not. I have no knowledge of

1 Burton - direct

2 that.

3 Q. The last sentence of your 1967 letter
4 states from various rumors you heard about the
5 sloppy Diamond operation, you were not surprised
6 that they have it at present. The "it" I believe
7 refers to the Dioxin you discussed earlier in that
8 paragraph. Is that correct?

9 A. Right.

10 Q. What were the rumors you had heard
11 about the sloppy Diamond operation? What did you
12 mean in that sentence?

13 A. I have to explain that for nearly a
14 year after I had left Diamond, I was working at a
15 plant of Kolker, I think it was called Doremus
16 Chemical Works. It was a plant owned by Kolker on
17 Doremus Avenue in Newark. At that plant, there
18 were several workers who had relatives or friends
19 at the Diamond plant. So there was a lot of
20 interchange of talk and gossip and so forth and I
21 don't remember specifically who or the specific
22 circumstances, but from conversation related to me
23 via that route, I got a lot of complaints about
24 how bad things were up at Diamond, which to some
25 degree I took as a grain of salt, disgruntled

1 Burton - direct

2 employees, but there does seem to be enough of it
3 that I thought there was probably something, a
4 basis for it.

5 Q. Do you have any recollection of what
6 it was that you were being told was bad about
7 Diamond?

8 A. I remember one mentioned an excessive
9 amount of uncontrolled fumes but from where, I
10 don't know.

11 Q. Do you remember any instances of
12 uncontrolled fumes when you were employed at
13 Diamond?

14 A. No, actually, I was just thinking a
15 little further about this, this I believe was
16 related to the anisole stripper because I had the
17 impression in the back of my mind that the vapors
18 from the anisole stripper either had a leak or
19 were not being condensed properly, had some
20 connection to that. Again, this is just the
21 impression that I had at the time.

22 Q. I take it that that was at the time
23 that you heard the complaint you are referring to?

24 A. Right.

25 Q. Do you recall any particular problems

1 Burton - direct
2 with the anisole stripper while you were employed
3 at Diamond's Newark plant?

4 A. No. This was a different unit. The
5 unit did the same job, but the anisole stripper
6 that Diamond used was a different unit and they
7 are located in a different place.

8 Q. A different unit from what?

9 A. From the one we had when I was there.

10 Q. Which would have been prior to the
11 1960 explosion?

12 A. Right.

13 Q. Do you recall any other particular
14 complaints you heard about Diamond after you had
15 left the plant?

16 A. That there was a lot of chloracne
17 among the workers, but -- (no further response).

18 Q. Do you have any knowledge of whether
19 this chloracne was more severe after you left the
20 plant than it had been while you were there?

21 A. Nothing specific at the time, although
22 later, I was given the reports of the study made
23 by New Jersey -- some New Jersey health department
24 which I think showed something like 28 cases of
25 chloracne of varying degrees among employees.

1 Burton - direct

2 which would be a considerably bigger percentage
3 than we had in the fifties.

4 Q. Do you recall the approximate date of
5 that report?

6 A. I'm quite sure it was 1969.

7 Q. Do you remember hearing any other
8 information about what you refer to as the sloppy
9 Diamond operation, what would cause you to include
10 that sentence in your 1967 letter?

11 A. No.

12 Q. To what, if anything, would you
13 attribute an increase or at least in your
14 impression, you said it was an increase in
15 chloracne cases, after the time you had left
16 Diamond?

17 A. You mean personal knowledge now?

18 Q. First of all, knowledge at the time.
19 Did you have any knowledge at the time?

20 MR. COX: What time?

21 Q. At the time that you first learned of
22 this 1969 report. Did you have any knowledge of
23 what would have caused an increase in chloracne
24 problems following the time you left Diamond?

25 A. No, but just to repeat, I told you all

1 Burton - direct

2 I could remember in relation to what I knew at the
3 time that this letter was written, which are these
4 stories relayed to me. And then later, this would
5 be in the 1973 to '76 period, as near as I
6 remember, I was working at the same plant site for
7 another company.

8 Q. By "same plant site," do you mean
9 Diamond's Newark site?

10 A. Right.

11 Q. And what were you doing at the Newark
12 plant site in the 1973 to 1976 time period?

13 A. Diamond had sold that plant to William
14 Mitchell, who operated at different times under
15 three different names that I can remember. One
16 was Chloray, C-h-l-o-r-a-y; one was Chemland and
17 another was Chemical Land. Mitchell had bought
18 the plant with the idea of making another chemical
19 unrelated to pesticides, I believe it was benzyl
20 alcohol, but I'm not positive. But that venture
21 failed and then he entered into a contract to make
22 2,4-D at that plant and I worked for him on and
23 off as a consulting engineer in rebuilding what
24 was necessary to make 2,4-D and then trying to get
25 into operation.

1 Burton - direct

2 Q. With whom was this contract to
3 manufacture 2,4-D in the 1970's?

4 A. I'm not 100 percent -- I'm not clear
5 on that, although I think the main contract was
6 with Diamond.

7 Q. Did Mr. Mitchell, in fact, ever
8 produce 2,4-D at the Newark location?

9 A. Yes.

10 Q. Do you recall during what time period
11 he manufactured 2,4-D there?

12 A. No, but it would be in the latter
13 stages, so probably in '75 or '76.

14 Q. And did he, in fact, sell or supply
15 that 2,4-D to Diamond?

16 A. I don't know. There were a lot of
17 companies that got involved in that project. One
18 was Occidental Petroleum, one was Thompson Hayward
19 and material was shipped out but I'm not sure to
20 which company.

21 Q. Did Mitchell ever make any effort to
22 manufacture trichlorophenol or 2,4,5-T at Newark?

23 A. No.

24 Q. What, if any, of what had previously
25 been Diamond's production equipment did Mr.

1 Burton - direct

2 Mitchell use in his processes?

3 A. The equipment for the manufacture of
4 monochloroacetic acid, for the manufacture of
5 dichlorophenol and for the manufacture of
6 2,4,5-T -- I'm sorry, 2,4-D acid. At times, we
7 transferred a few items of equipment from other
8 sections of the plant. I can remember one or two
9 vessels we transferred from the trichlorophenol
10 unit and I believe we used one or two or more
11 vessels that had been involved in the 2,4,5-T acid
12 production.

13 Q. Do you recall whether any of that
14 equipment was tested, either prior to its first
15 use by Mr. Mitchell or during his use, for Dioxin
16 contamination?

17 A. No. On that equipment, I went in
18 myself and inspected him to be sure they were
19 visibly clean and apparently safe for transfer but
20 there were no specific tests for Dioxin at any
21 time.

22 Q. Was any of the 2,4-D material he
23 produced ever tested for Dioxin contamination?

24 A. Not to my knowledge.

25 Q. Did he produce anything other than

1 Burton - direct

2 2,4-D at the Newark location?

3 A. I'm not sure this benzyl alcohol, or
4 whatever it was, project I mentioned before my
5 time, whether he actually produced any or not.
6 But as far as the period when I was connected with
7 it, the only product was 2,4-D acid or probably,
8 and I don't remember this, but in the chlorination
9 step, you form hydrochloric acid as a by-product,
10 which is normally recovered and sold. So I
11 presume -- I remember recovering it and the
12 storage tank for it, so I presume it was also
13 sold.

14 Q. And when you first mentioned your
15 employment with Mr. Mitchell or with his company,
16 I have been asking you about whether you had come
17 to any conclusions about this chloracne incidence
18 at Diamond reported in the 1969 report.

19 A. The reason I brought that up is
20 because in connection with seeing the equipment
21 and talking to some of the people that we had at
22 Chemical Land who had also worked for Diamond and
23 in connection with seeing some of Diamond's
24 operating records, I had some other evidence or
25 indication of what went on during the period of

1 Burton - direct
2 making Agent Orange.

3 Q. Can you describe that evidence to the
4 best of your recollection?

5 A. One was -- I think it was a monthly
6 summary of batches made in the trichlorophenol
7 unit, which listed some data. What interested me
8 at the time, I remember, was that it listed the
9 temperatures in the autoclave and the amount of
10 Dioxin in the product. It listed some other
11 factors, such as operating time, but since I was
12 particularly interested in -- at this time, I had
13 no interest in making 2,4,5 -- trichlorophenol but
14 I still had my curiosity as to whether this
15 assumption had been right about the effect of
16 temperature on Dioxin. So I remember trying to
17 correlate the operating temperatures versus the
18 Dioxin content.

19 Q. And were you able to make any
20 correlation between the two?

21 A. No. They didn't correlate. But from
22 a scientific point of view, it wasn't a big enough
23 sample. But within that sample.

24 Q. Do you recall any other information or
25 evidence of what went on at Diamond following your

1 Burton - direct

2 departure in 1960 that you may have gathered at
3 this later period in the seventies?

4 A. This autoclave temperatures range from
5 160 to 172, which, of course, in my own opinion,
6 was too high, but I didn't know what further data
7 Diamond might have had that substantiated that as
8 a safe temperature.

9 There was something about the
10 anisole -- it's too vague to remember. It seems
11 to me there seemed to be something about the
12 mechanics of the anisole stripper that didn't look
13 right but at this point, I can't remember what it
14 was. But that was another unit we converted to a
15 different purpose is how I came to be inspecting
16 it.

17 Q. Is it your testimony, then, that the
18 anisole stripper was used for some part of Mr.
19 Mitchell's process?

20 A. Right. Oh, yes, I remember now what
21 it was. It had to do with the control. This had
22 high pressure steam tubes in it for heating and I
23 thought in my own, at least in my own designs, I
24 tried to avoid that to try to use low pressure
25 steam to avoid overheating it.

1 Burton - direct

2 Q. What was the purpose of avoiding this
3 overheating you described?

4 A. Again, in the anisole stripper, the
5 total temperature was limited as far as the best
6 of my knowledge and there was some question in my
7 mind about the very complicated question about the
8 possibility of forming Dioxin in the 2,4,5-T acid
9 step, just based on the equipment I saw and how it
10 was operated for 2,4-D, the two being parallel.

11 MR. SHEFT: Could you read that answer
12 back, please.

13 (Whereupon the record was read.)

14 Q. If you can go back to the anisole
15 stripper for just a moment, would the use of high
16 pressure steam tubes have any effect on the
17 formation of chloracnegens or employee exposure to
18 chloracnegens?

19 A. Not -- employee exposure, I'm a little
20 dubious on this but I do remember that at one
21 step, and I'm not sure whether it's in here or
22 not -- no, it's not mentioned in here, but I do
23 mention limiting the pot temperature to 110 or 120
24 but --

25 MR. SHEFT: Let the record reflect Mr.

1 Burton - direct

2 Burton is referring to Burton Exhibit No. 2.

3 A. I recommended only 15 pounds pressure
4 of steam being used.

5 Q. And how did that recommendation relate
6 to either the formation of Dioxin or the chloracne
7 situation, if it did?

8 A. Just that the material is temperature
9 sensitive at varying degrees, varying stages of
10 the process. Understand that this is sort of
11 guesswork on my part and when I recommended a
12 maximum of 15 p.s.i., this was just to be safe.
13 Diamond may have been perfectly safe in what they
14 were doing, but these were the questions I had,
15 but they don't mean that Diamond was not operating
16 properly.

17 Q. Was there any other information that
18 you had at the time of your 1967 letter or even
19 during the period of time when you were working at
20 Diamond which would have led you to conclude the
21 last sentence of your letter, referring to the
22 sloppy Diamond operation?

23 MR. COX: I object to the form of the
24 question. Can we break it into two? We have got
25 two time periods, one running from presumably 1949

1 Burton - direct

2 until 1967, at least. I'm not objecting to the
3 question, but the form does trouble me.

4 Q. What I'm trying to determine is Mr.
5 Burton, you have referred to the Diamond operation
6 as sloppy in this 1967 letter and I'm just trying
7 to determine if you have any other additional
8 basis from your own knowledge of what you observed
9 yourself or what you were told by present or
10 former Diamond employees for referring to the
11 Diamond operation as sloppy?

12 A. No. We went through that, I believe,
13 once before. The only reason I said this was
14 because of remarks made by Diamond employees or
15 friends of or relatives of Diamond employees.

16 Q. You now told us that when you were
17 with Mr. Mitchell's company, you saw some
18 additional operating records of Diamond and
19 attempted to make correlations between operating
20 temperatures and Dioxin summaries; also reviewed
21 the mechanics of the anisole stripper. Do you
22 recall any other information or reports of
23 Diamond's that you saw when you returned to the
24 Newark plant site with Mr. Mitchell's company?

25 A. There were reports of the -- two

1 Burton - direct
2 reports of the 1965 meeting in Midland. I think
3 reports by Frank -- one report by Frank Kennedy
4 and the other by Ed Chandler.

5 Q. Do you recall any other Diamond
6 reports or records that you saw at the Newark
7 site? Again, this is the 1970's.

8 A. None relating to this. Of course, the
9 files were full of -- I was mainly interested in
10 equipment and the files were full of equipment
11 data, equipment troubles and so on, but nothing
12 that sticks in my mind and nothing that relates to
13 this.

14 Q. Do you remember any specific equipment
15 problems at all that either you observed when you
16 arrived at the site or that you read about in
17 these files?

18 A. There was trouble with the pH
19 controller in the acidification step and I don't
20 remember whether this related to 2,4-D acid or
21 2,4,5-T acid, acidification, because the equipment
22 was the same in both cases, and as I remember,
23 some trouble on the reactor, the gear drives for
24 the 2,4-D or 2,4,5-T reactor, again, their being
25 duplicate units, but those were the units we were

Burton - direct

having trouble with so I was particularly interested in checking the files on those.

Q. When you say those were units you were having trouble with, you mean you had been having trouble with them when you worked at Diamond or you were having trouble with them --

A. We were having trouble with them.

Q. When you worked with Mr. Mitchell?

A. Right. It may well have been other things but these I wouldn't have any reason to remember. I remember spending a lot of time going through equipment files trying to correlate their data on equipment in the Diamond files with the equipment we had in the plant but Diamond had one set of code numbers which we didn't have, so it was hard to establish which vessel in their day corresponded with which vessel that was still there.

Q. To your knowledge, was all of the equipment that Diamond had left behind when it sold the premises still present when you arrived in the mid-1970's?

MR. COX: Objection to the form of the question. He can answer it, if he can.

is of

es?

5-T

there

That

much

mond

4r.

remember

er that

itchell

sold it

here any

site,

to what

t back

1 Burton - direct

2 A. No, it was not.

3 Q. Do you recall any specific items of
4 equipment that were no longer on the premises?

5 A. The autoclaves for making
6 trichlorophenol, pretty much the whole 2,4,5-T
7 acid section of the plant. As I remember, there
8 were only, of what might have been 12 or 15
9 vessels, there were only two or three left. That
10 section of the plant seemed to have pretty much
11 disappeared.

12 Q. Do you know whether it was Diamond
13 that removed these pieces of equipment or Mr.
14 Mitchell?

15 A. No, I don't. The only one I remember
16 was a rotary filter, the 2,4-D rotary filter that
17 disappeared, and I remember that because Mitchell
18 was very incensed. He said Ray Guidi had sold it
19 and pocketed the money.

20 Q. Do you have any knowledge of where any
21 of the equipment that was no longer at the site,
22 where it may have gone?

23 A. Yes. This rotary filter went to what
24 was then Gelb and Sons because we bought it back
25 when I was there.

1 Burton - direct

2 Q. Other than the rotary filter, do you
3 have any knowledge of the disposition of any of
4 the other equipment that had been removed?

5 A. I believe the -- I don't know for
6 sure, although I understood that Ray Guidi had,
7 through a company he was now associated with, had
8 bought the autoclaves but this I don't know for
9 sure. That was Mitchell's opinion.

10 Q. Do you know what company it was Mr.
11 Guidi was associated with at that time?

12 A. It was a company in Memphis or
13 headquartered in Memphis. At the moment, I can't
14 remember the name.

15 Q. Do you know what use that company
16 would have made of the autoclaves?

17 A. I don't know, but from some
18 conversation with Charles Kolker, we assumed it
19 was for the production of 3,4 dichloroaniline.
20 But again, this was speculation.

21 Q. And what was your purpose in
22 inspecting the equipment that was still at the
23 Newark plant while you were in Mr. Mitchell's
24 employ?

25 A. Just a matter of safety. Before

1 Burton - direct

2 mechanics went in to work on it, I wanted to know
3 myself to be sure it was clean, which was nothing
4 unusual. This is something I might do in any
5 chemical plant under any circumstances.

6 Q. In making sure that the equipment was
7 clean, did you have any concern about the
8 potential presence of Dioxin in the equipment
9 remaining on the site?

10 A. Yes, but I had to assume, at least I
11 assumed it was visually clean, that it was safe to
12 handle.

13 Q. And what was your basis for assuming
14 that the equipment was safe to handle if it was
15 visibly clean?

16 A. That's the only way I had.

17 Q. Did you have any method available for
18 testing equipment for Dioxin contamination if you
19 so desired?

20 A. No.

21 Q. Earlier this morning --

22 A. Incidentally, in connection with that,
23 we may have had, all during this period when I was
24 working for Mitchell, contact with Diamond people
25 because there was some agreement between Mitchell

1 Burton - direct
2 and Diamond for assistance that Diamond would give
3 Mitchell in getting into 2,4-D production. So
4 that when I had specific questions about
5 equipment, I would often call Gordon Steward or
6 maybe Frank Kennedy or someone else at Diamond. I
7 don't remember the specific thing, but it might
8 well be that I might have asked for some
9 verification that to their knowledge, these units
10 were safe to transfer.

11 Q. The records that you saw when you went
12 back to the Newark plant in 1970, I believe you
13 stated that they included some monthly summaries
14 and also that you looked at equipment documents.
15 Were these documents still present at the plant
16 site?

17 A. Yes.

18 Q. Where were they, if you recall?

19 A. Most of them were in the files in the
20 office building. Some of the operators' records
21 were in the operators' desks at various areas in
22 the plant.

23 Q. Were maintenance records still at the
24 plant site, as well?

25 A. Only in the equipment files in the

1 Burton - direct
2 office.

3 Q. And how long did you stay at the
4 Newark plant in Mr. Mitchell's employ?

5 A. I'm not clear on this. At the same
6 time, I was working on and off with Montrose
7 Chemical next door and my mind is not clear. But
8 between -- I was there first in '73 for a period
9 of two or three months; then I was there, I think,
10 on and off for several weeks in 1975; and then I
11 think for a very brief period, maybe only one week
12 in 1976 is my best recollection.

13 Q. Do you know whether the files that you
14 have referred to, records were still on the plant
15 site when you left, when you were last there in
16 1976?

17 A. As far as I know, they were all there.

18 Q. I should ask another question just to
19 clarify. Was this occasion in 1976 the last time
20 you were at the Newark plant?

21 A. I'm not really certain. I might have
22 stopped in for conversation with Bill Mitchell at
23 any time. I just don't know.

24 Q. Do you know how long Bill Mitchell
25 continued to operate the plant?

1 Burton - direct

2 A. I don't know. I would guess that it
3 would be '76 or '77, but I have no recollection.

4 Q. Do you know whether he manufactured
5 2,4-D during the entire period once he started up,
6 which I believe you said was in 1975?

7 A. Not in '73. I would think probably
8 in '75 and '76.

9 Q. In the 1973 time frame, were you
10 primarily assisting Mr. Mitchell in developing the
11 2,4-D process?

12 A. Again, please?

13 Q. In 1973, when you first began working
14 for Mr. Mitchell, were you primarily involved in
15 inspecting equipment and developing a 2,4-D
16 process?

17 A. Primarily in repiping and in some
18 cases, setting up equipment; in other words,
19 trying to rehabilitate that part of the plant that
20 we needed for 2,4-D production.

21 Q. How long or could you give us a time
22 frame during which you were also working with
23 Montrose Chemicals next door to the Diamond plant?

24 A. That's so difficult to do because I
25 was in and out of Montrose for so many projects.

1 Burton - direct

2 Q. Do you recall approximately when the
3 first project would have been?

4 A. I think the first project, as I
5 remember, I finished working for Bill Mitchell in
6 the latter part of 1973 and started fairly
7 promptly with Montrose and was there for the full
8 year of 1974. I think it was one year that I was
9 working full-time on a project at Montrose
10 Chemical. But then other times than that, I was
11 in and out. It's very confused.

12 Q. Do you recall the approximate date of
13 the last project at that location?

14 A. Somewhere in the time frame around
15 1978, probably.

16 Q. What type of projects were you engaged
17 in for Montrose Chemicals?

18 A. A project for making malononitrile,
19 cyanoacetamide and another project on a rice
20 herbicide but I can't for the life of me remember
21 what the chemical was.

22 Then at one period in there, I managed
23 the plant for something like nine months while
24 they were trying to locate a new plant manager.

25 Q. Did any of your projects there relate

1 Burton - direct

2 to trichlorophenol, 2,4,5-T or 2,4-D?

3 A. Not working at the -- not my actual
4 work at the Montrose plant but Montrose was
5 involved in some design projects for 2,4-D and
6 2,4,5-T.

7 Q. And what was the purpose of those
8 design projects, if you recall?

9 A. Referring to -- is this your number
10 one?

11 Q. Yes, the handwritten notes.

12 A. Montrose Texas, there was a project by
13 Thompson Chemical and Sonford Chemical to set up a
14 plant in Texas for making 2,4-D and 2,4,5-T.
15 Montrose -- I have to explain something else. At
16 some point, Montrose set up a wholly owned
17 subsidiary called Montrose Development Corporation
18 and I think these projects were done under
19 Montrose Development Corporation, which as I
20 recollect was a means of Montrose Chemical
21 avoiding any liability they might run into. But
22 essentially it was Montrose Chemical. Montrose
23 Chemical was to furnish the design data and
24 engineering and so forth and in turn, I furnished
25 that to Montrose.

1 Burton - direct

2 So there was this one project,
3 Thompson Chemical, Sonford Texas project which
4 went through the design stage but went no
5 further. Then in 1967-68, Montrose Chemical
6 Development got a design contract for
7 Thompson-Stearns Rogers who in turn had a contract
8 to build a plant for Agent Orange and in turn, I
9 had a contract with Montrose Chemical Development
10 to furnish the design data and set right up the
11 2,4-D and 2,4,5-T units.

12 Then I did some work for one time, it
13 was a small job and I don't see it on this Exhibit
14 1, for a company in South Africa that was
15 interested in making 2,4-D and 2,4,5-T. I have
16 forgotten exactly, but I was involved with it,
17 Montrose Chemical Development was involved in it.

18 Q. You have been referring to what I
19 believe is the last page of Burton Exhibit 1, your
20 handwritten notes. I note at the top of that
21 page, it says, the reference or title --

22 A. Yes, I'm sorry, that's another one,
23 although it didn't involve 2,4,5-T. This third
24 column there meant the plant only involved 2,4-D.

25 Q. My question is on this last page of

1 Burton - direct

2 Exhibit 1, it seems to have a label or title "D
3 and T projects." Is this a listing of all
4 projects you worked on involving either 2,4-D or
5 2,4,5-T while you were consulting?

6 A. Roughly, but it is not complete
7 because I was involved a number of times in
8 projects that furnished some preliminary
9 information and didn't go further. For example,
10 one time Allied Chemical was interested in making
11 2,4-D and 2,4,5-T and I had some degree with
12 consulting them on the project before they dropped
13 it, like this South African venture. There would
14 be quite a number of those that I wouldn't
15 remember or didn't get paid for or didn't consider
16 significant.

17 MS. COOKE: We have had a request for
18 a short break. Are you agreeable to that? We
19 will try to keep it short.

20 (Whereupon a recess was taken.)

21 Q. Mr. Burton, have you ever given
22 testimony in a deposition such as this prior to
23 today?

24 A. No.

25 Q. Have you ever testified in a courtroom

1 Burton - direct

2 or a hearing of any kind that you recall?

3 A. No.

4 Q. Did you discuss today's deposition
5 with anyone prior to coming here?

6 A. Some discussion yesterday with Mr. Cox
7 and Mr. Spivak.

8 Q. We were discussing the period of the
9 1970's, when you were working with Mr. Mitchell's
10 company and also with the company next door in
11 Newark. At the time that you were with Mr.
12 Mitchell, do you recall whether there was a carbon
13 absorption tower located at the Newark plant site?

14 A. I know where the tower had been
15 located, at least that was pointed out to me, and
16 I'm quite sure but I'm not a hundred percent sure
17 that the tower was not there at the time.

18 Q. Do you know what purpose that tower
19 had served when it was on the plant site?

20 A. Again, what I was told, but everybody
21 had the same story, so it was fairly certain, this
22 was the carbon absorption tower for absorbing
23 Dioxin from the trichlorophenol.

24 Q. After you left Diamond's employ in
25 1960, did you stay or did you work with various

1 Burton - direct
2 chemical plants in the Newark area up until this
3 period you spent with Mr. Mitchell's company?

4 A. Would you repeat that, please.

5 Q. I'm just trying to determine whether
6 you stayed at chemical plants in the Newark area
7 from the time you left Diamond in 1960 up to and
8 including this period in the mid-'70's, when you
9 worked with Mr. Mitchell.

10 MR. COX: I think there may be some
11 confusion. As I understood his testimony, it was
12 he had been employed for two eight month periods
13 but otherwise worked as a consultant. You are
14 asking if he consulted at other plants?

15 MS. COOKE: Right. I'm being
16 unclear.

17 A. I consulted at other plants, for
18 example, I had a consulting project with
19 Interprovincial in Canada. That project involved
20 both doing design work, my office and my home,
21 plus spending some time at the plant. Another
22 project, for example, I consulted with Thompson
23 Hayward in, I think, about the 1961 period or
24 thereabouts, but this was purely -- not going
25 there, this was just doing work in my own office.

1 Burton - direct

2 So when you say in the vicinity, I'm thinking of
3 where I was physically versus where the companies
4 were that I might have done some work for.

5 Q. Where was your own office?

6 A. In the basement of my home in
7 Cranford.

8 Q. Excuse me?

9 A. In Cranford, New Jersey.

10 Q. Do you know what percentage of your
11 time you spent consulting and/or working for
12 plants in the Newark vicinity between 1960 and
13 1975 or six?

14 A. That's a hard question to answer, but
15 I would take a guess, maybe one third.

16 Q. Did you remain in contact with --

17 A. Or no, maybe one third to one half, I
18 would say.

19 Q. Did you remain in contact with Diamond
20 employees throughout that period?

21 A. Again, the period specifically?

22 Q. From 1960 up to and including 1975.

23 A. No, I didn't have much contact between
24 1960 and 1973. During the period from 1973 to
25 1976, I had contact quite often with several

1 Burton - direct
2 ex-Diamond employees who were also involved
3 working for Mr. Mitchell.

4 Q. Do you recall any contact between 1960
5 and 1973 in the form of conversations or
6 correspondence with people at Diamond?

7 A. This is what we went over before. I
8 talked various times to Frank Kennedy and Milton
9 Rosenfeld, Homer Smith and people that were at the
10 Doremus Avenue plant -- no, I guess that was all
11 secondhand. I don't remember any Diamond
12 employees coming down that I talked to directly.
13 I guess that was all secondhand.

14 Q. Do you recall whether you ever
15 discussed Diamond's operating procedures with Mr.
16 Kennedy, Mr. Rosenfeld, Mr. Smith or any other
17 Diamond employee from the time you left in 1960 up
18 until the time you went to work for Mr. Mitchell?

19 A. As I said, someone, I don't remember
20 which one, mentioned about this carbon absorption
21 tower, which in effect was an operating
22 procedure. At one point, I had some discussion
23 with Frank Kennedy because we had a common
24 interest in a question about dichlorophenol
25 manufacture. I don't think, though, that got into

1 Burton - direct

2 anything to do with Diamond's operations. It
3 might have, but I don't recollect that it did.

4 Q. Did you ever discuss the Newark plant
5 or Newark operating procedures with Mr. Guidi
6 after the time you left?

7 A. No.

8 Q. When we were discussing earlier your
9 1967 letter, you said that you had heard various
10 rumors or complaints about the Diamond Newark
11 plant. Do you remember whether you discussed
12 those rumors with any particular present or former
13 Diamond employees?

14 A. That, in effect, is where I heard the
15 rumors from.

16 Q. What I'm trying to determine is which
17 people you heard the rumors from, if you recall.

18 A. I don't remember. Actually, it was a
19 little confusing in my own mind because there were
20 several families who had brothers and uncles and I
21 never quite sorted out who was who. The Lamoreaux
22 family had to do with them and Cundiff and I don't
23 know, there was a set of related families, some
24 were working at Diamond and some were working at
25 Doremus Chemical. I don't remember specifically

1 Burton - direct

2 who was who at this point.

3 Q. Who was in charge of maintenance at
4 the Newark plant during the years you did work
5 there, Diamond's Newark plant?

6 A. Homer Smith.

7 Q. Was he in charge throughout the period
8 that you were employed at the Newark Lister Avenue
9 plant?

10 A. I don't remember exactly, but it seems
11 to me that he was starting in the early part of
12 the 1950's. I don't remember exactly when. But
13 most of the time, he was.

14 Q. Did the maintenance department have
15 the responsibility to actually wash down plant
16 floors when they were washed down?

17 A. Not when I was there. There was a
18 little question in a lot of plants as to the
19 maintenance departments, where they leave off, but
20 when I had the management, the maintenance
21 department had no responsibility except for the
22 equipment. It was up to the operating department
23 to see that the equipment was clean and ready for
24 repair and take care of all kinds of cleanup. It
25 might have been different in the Diamond time, but

g.

ean

ean

1 Burton - direct

2 I know specifically under my time what it was.

3 Q. So that the actual cleaning of
4 equipment or floors would have been done by an
5 operating unit?

6 A. Right.

7 Q. Would individual equipment operators
8 be responsible for any cleaning that had to be
9 done during their own shifts?

10 A. They were all responsible for keeping,
11 maintaining good housekeeping.

12 Q. Do you recall any housekeeping
13 problems during the years that you were at the
14 Lister Avenue plant?

15 A. Nothing specific. Housekeeping was
16 always a perpetual problem.

17 Q. What do you mean by that?

18 A. Which is at all places.

19 Q. What do you mean by "perpetual
20 problem"?

21 A. Operators tending to be sloppy and
22 keeping pressure on them to keep their units clean
23 and neat and in order.

24 Q. Do you remember any individual
25 instances of an operator not keeping an area clean

1 Burton - direct

2 within the Lister Avenue plant?

3 A. No.

4 Q. I may have already asked this: Was
5 Mr. Smith still at the Lister Avenue plant at the
6 time that you left?

7 A. Again, please?

8 Q. Was Mr. Smith still at the Lister
9 Avenue plant at the time that you left?

10 A. Yes.

11 Q. You testified earlier that it was on
12 August 9, 1960, that you first recall hearing of
13 Dioxin from Monsanto. Do you know whether Diamond
14 was familiar with the term "Dioxin" as of that
15 time, in 1960?

16 A. To my knowledge, they were not. Well,
17 I have no idea what Diamond was doing meanwhile in
18 connection with this except that I have no
19 indication that they knew about it.

20 Q. When you heard of Dioxin in August
21 1960, did you relay your information on Dioxin to
22 anyone else?

23 A. Not to my recollection.

24 Q. Do you remember the context in which
25 you first heard of Dioxin?

1 Burton - direct

2 A. Yes. At one point, when we were
3 having the chloracne trouble, I visited
4 competitors' plants to see what help I could get
5 on this problem as a safety problem, even though
6 they were competitors.

7 One of them that I visited was
8 Monsanto and they were very cooperative and we
9 exchanged, as far as I know, full operating
10 information about the problem because they had had
11 a lot of chloracne trouble previously and we had a
12 joint venture over the period of a year or so of
13 sending samples to this toxicological laboratory,
14 some subsidiary of Mellon Institute, who did the
15 rabbit ear test, and then Monsanto and I exchanged
16 the results of the tests we made. In other words,
17 we had a joint venture in trying to locate the
18 cause and prevention of chloracne.

19 So that at the time he called me, I
20 was no longer involved with trichlorophenol but my
21 recollection of the context of the call was simply
22 this is something that from a technical curiosity
23 point of view, it would be of great interest and
24 thought I would be interested in knowing what they
25 had found out.

1 Burton - direct

2 Q. At the time of the joint venture,
3 then, you were in Diamond's employ, were you not?

4 A. Right.

5 Q. And would that have been in the year
6 immediately before you left Diamond?

7 A. I don't know how long -- wait a
8 minute.

9 I don't recollect exactly, but I think
10 it was 1957 and 1958 that we started this joint
11 venture with Monsanto.

12 Q. When you discussed this topic with
13 Monsanto in August of 1960, were the people at
14 Monsanto aware that you were no longer associated
15 with Diamond?

16 A. Yes. Actually, I was working at
17 Doremus Chemical. They called me at my office at
18 Doremus Chemical.

19 Q. And what were you doing at Doremus
20 Chemical?

21 A. I was plant manager.

22 Q. What was being manufactured at that
23 plant?

24 A. Plasticizers, methyl chloride,
25 methylene chloride, methyl bromide, benzoic acid

1 Burton - direct

2 and later chlorine from caustic soda.

3 Q. Did you suggest to Monsanto or did
4 Monsanto indicate to you that it was going to
5 identify this Dioxin to Diamond?

6 A. I don't have any recollection of this
7 being mentioned at all.

8 Q. Other than what you have told us about
9 Boehringer and about Monsanto, are you aware of
10 any companies that were producing 2,4,5-T or
11 trichlorophenol and experiencing chloracne
12 problems in the 1950's?

13 A. In what period?

14 Q. In the 1950's.

15 A. It was at Hooker Chemical who said
16 that they had had some chloracne problem and I
17 think still had one or more employees suffering
18 from chloracne but had no current cases, no
19 current new cases. Dow Chemical said they had had
20 a lot of cases of chloracne I think in the
21 thirties and I think they associated it with the
22 manufacture of pentachlorophenol, but had no
23 current problems. And Monsanto had had a bad
24 outbreak in about 1950 but had no current
25 problems.

1 Burton - direct

2 Q. At any point while you were employed
3 by Diamond, do you recall hearing that any Diamond
4 customers had complained of contracting chloracne
5 from the use of Diamond's products?

6 A. No.

7 Q. When you were at the Newark plant, was
8 Diamond selling the sodium TCP as a final product
9 as well as using it as an intermediate in other
10 products?

11 A. Yes.

12 Q. How was the TCP packaged for sale?

13 A. Shipped in tank cars.

14 Q. That would have been in a liquid form,
15 I gather?

16 A. Yes, water solution of sodium
17 trichlorophenate.

18 Q. How were these tank cars located from
19 the Diamond plant?

20 A. Pumped from the storage tank to the
21 tank car.

22 Q. Was there any point in the packaging
23 or containerization process where employees had to
24 come into contact with the sodium TCP?

25 A. No.

1 Burton - direct

2 Q. What form did the 2,4,5-T acid take
3 which Diamond was manufacturing in the 1950's?

4 A. It's a solid material.

5 Q. And was that also sold as a final
6 product?

7 A. I don't believe we sold any as a final
8 product. We might have sold a small amount, but
9 mostly we used it for manufacture of 2,4,5-T
10 esters and amines.

11 Q. What form did the esters take, if you
12 recall?

13 A. Liquids.

14 Q. How were they containerized and
15 shipped?

16 A. Usually in 55 gallon drums, although I
17 believe we sold a few tank cars, made a few tank
18 car shipments.

19 Q. When these were sold in drums, how
20 were the drums filled?

21 A. The material would be pumped, the drum
22 would be put on a scale and a flexible hose
23 connection from a pipeline put in the opening in
24 the drum and filled until the drum was filled to
25 the proper weight.

1 Burton - direct

2 Q. What about the amines, do you remember
3 what form they took?

4 A. The which?

5 Q. The amines.

6 A. Yes, they were all liquids.

7 Q. Do you remember how they were
8 containerized and sold?

9 A. The same way. We sold some in tank
10 cars and sold some in drums. In the case of the
11 amines, they were finished products, so we sold
12 amines in various sizes of containers.

13 Q. Were any of those containers filled
14 manually, that is, a manual operation, an employee
15 opening a valve or pouring material into the
16 container?

17 A. Yes. We sold it in 55 gallon drums
18 and 30 gallon drums, then we sold a lot in five
19 gallon and one gallon containers and we had, I'm
20 not sure on this, we had some form of filling
21 machine for the smaller containers and perhaps for
22 the five gallon containers. I'm not sure about
23 the five gallon, whether they were strictly manual
24 or whether we used a filling machine for them.

25 Q. Did the employees have any physical

1 Burton - direct

2 contact with the amines when they were filling
3 these smaller containers?

4 A. It would be only accidental contact.
5 Theoretically, you shouldn't touch it. They wore
6 rubber gloves. But I'm sure from time to time,
7 there were accidental or careless contacts.

8 Q. Did Diamond continue to manufacture
9 DDT also throughout the period that you were at
10 the Newark plant?

11 A. I'm not sure. Let me see if I can
12 refresh my memory here.

13 I don't remember. From my list here,
14 apparently, we were operating in 1958 but there
15 was some thought about shutting it down and only
16 making DDT at the Greens Bayou plant, but I can't
17 remember whether that was while I was still there
18 or not.

19 Q. Other than the DDT and the
20 dichlorophenol, trichlorophenol, 2,4-D and 2,4,5-T
21 products, was Diamond manufacturing anything else
22 in the period from, say, 1952 or three to 1960,
23 that is, after they stopped manufacturing their
24 own tetrachlorobenzene?

25 MR. COX: At Lister Avenue, you mean?

1 Burton - direct

2 MS. COOKE: Yes, I'm sorry, at Lister
3 Avenue.

4 A. Chloral, which we manufactured as an
5 intermediate for DDT and then later sold it as a
6 chemical in itself, we were making that all during
7 the period up until 1960 and I mentioned
8 miticides, we made a miticide, which is a Kolker
9 product, and then under contract, we made a
10 similar product for General Chemical and benzene
11 sulfonyl chloride was one of the related products
12 we made and there were some other products that I
13 can't remember similar to benzene sulfonyl
14 chloride and the miticides. This was this family
15 that I mentioned of related products.

16 Q. Were those products manufactured in
17 the main building up until 1960?

18 A. Yes, but they were small scale and on
19 and off in terms of production.

20 Q. Were you present at the Newark plant
21 at the time of the February 20, 1960, explosion?

22 A. Yes.

23 Q. And what were you doing at the plant
24 that day, if you recall?

25 A. As I often did, I came in Saturday

1 Burton - direct

2 morning to catch up on paperwork. I don't
3 remember specifically what I did that morning.

4 Q. Do you recall any details of the
5 explosion on that date?

6 A. No.

7 Q. Was the entire plant shut down
8 following the explosion, to your knowledge?

9 A. Yes.

10 Q. Do you know when any part of the plant
11 first started up again following the explosion?

12 A. No.

13 Q. Had any part of the plant started up
14 again prior to the time you left Diamond's employ?

15 A. Not to my knowledge.

16 Q. Do you know what, if any, specific
17 structures were destroyed in that explosion?

18 A. The end of the main building, that is,
19 the side nearest the river, was badly damaged. I
20 believe that, I'm not certain, a portion of the
21 2,4-D building, that is, what we call the ester
22 unit, was damaged but I'm not certain of that.

23 Q. Do you know what process equipment was
24 either damaged or destroyed in the explosion?

25 A. The equipment in that end of the

1 Burton - direct

2 building, which would have been the
3 hexachlorobenzene, trichlorophenol, Chloral and
4 monochloroacetic.

5 Q. Do you know how Diamond went about
6 removing any debris from the explosion after it
7 occurred?

8 A. No.

9 Q. Do you know whether debris was, in
10 fact, removed from the plant site?

11 A. Pretty obviously, it was, because it
12 wasn't there when I -- no, I don't know. It could
13 have been buried. No, I don't know.

14 Q. Do you know whether there was any
15 release of either gaseous or liquid or solid
16 material from the TCP process during that
17 explosion?

18 A. The way we reconstituted what happened
19 was that the temperature in the autoclave had
20 gotten too high for some unknown cause, and this
21 was something we never could -- there was a lot of
22 speculation, but we never could figure out what
23 happened. Once the temperature in that reaction
24 gets to a certain point, then another reaction
25 takes place which generates a tremendous amount of

1 Burton - direct

2 heat. At that point, it becomes what we call a
3 runaway reaction, it can't be stopped. So that
4 would build up a pressure big enough to rupture
5 the autoclave itself and in the autoclave were a
6 lot of methanol fumes and methanol gas is
7 explosive.

8 Although theoretically, all the
9 equipment in that building was explosion-proof,
10 the physical damage from the rupture of the
11 autoclave could have caused a flying piece of
12 metal to strike a spark, ignite this mass of hot
13 methanol vapors. So that's what we assume in
14 particular is what caused the main explosion.

15 Q. You stated what you concluded after
16 the explosion was that there had been a runaway
17 reaction in the autoclave. Were other parts of
18 the Diamond plant also in operation on the
19 Saturday when the explosion occurred other than
20 the TCP unit?

21 A. I don't remember except from the list
22 of employees who were there and injured, it seemed
23 like some other parts probably were.

24 Q. Do you have any idea of what parts of
25 the plant?

1 Burton - direct

2 A. I'm fairly sure the 2,4-D was in
3 operation.

4 Q. Do you have any knowledge of whether
5 the 2,4,5-T line was also in operation?

6 A. No, I don't. When I said 2,4-D, I was
7 really thinking of that building just because
8 there were some operators in the plant who
9 wouldn't have been there unless that part of the
10 plant was in operation.

11 Q. So in other words, if there were
12 workers in that building, could it have been
13 either the 2,4-D or the 2,4,5-T process that was
14 operating?

15 A. Right.

16 Q. Did you ever return to the Lister
17 Avenue plant as a Diamond employee following the
18 date of the explosion?

19 A. I think I was back there once or
20 twice.

21 Q. Do you recall for what purpose you
22 went back to the plant?

23 A. In connection with plans for
24 rebuilding.

25 Q. Were you involved personally in either

1 Burton - direct

2 the investigation of the explosion or the
3 rebuilding plans following the explosion?

4 A. I was involved in discussions of
5 trying to figure out what happened, what caused
6 the explosion, and involved to some degree in the
7 plans for rebuilding.

8 Q. Do you recall any details of the plans
9 for rebuilding? Specifically, do you recall any
10 process changes that were to be made in the
11 rebuilding?

12 A. No. It seems to me there was some
13 discussion about the type of filter for filtering
14 the sodium salt from the trichlorophenate, which
15 is something we hadn't been doing but were
16 discussing doing it when the operation was
17 resumed, and some discussion about a design of the
18 new autoclaves, a couple of things that stick in
19 my mind.

20 Q. What would be the purpose of filtering
21 the trichlorophenate?

22 A. To get a material that would simply be
23 easier to handle the large sodium salt content of
24 it. It was somewhat of a handicap in storage and
25 shipping and perhaps in the reaction of 2,4,5-T.

1 Burton - direct

2 It was sort of a general nuisance that we could
3 live with but it would be easier to handle if we
4 filtered it off. Whether they ended up filtering
5 it off or not, I do not know, but I remember
6 debating that question.

7 Q. Does anything in particular stick in
8 your mind regarding the design of the autoclaves?

9 A. Yes, the possibility of what they call
10 caustic embrittlement.

11 Q. What would that involve?

12 A. It has to do with steel, when heated
13 for, I believe, some period of time at some high
14 concentration of caustic, may suffer some
15 mechanical degeneration and as I remember, I
16 simply raised the question of whether that should
17 be considered to be sure that we wouldn't run into
18 that condition.

19 Q. By steel, you are referring to the
20 steel structure of the autoclave?

21 A. Yes.

22 Q. Mr. Burton, I have a number of
23 documents that have been provided to us by Diamond
24 in this litigation and I would like to show a few
25 of them to you now and ask you some questions

1 Burton - direct
2 about them.

3 MS. COOKE: First I would like to
4 introduce as Burton Exhibit No. 3, it's dated
5 April 4, 1960, from Mr. J. Burton to Mr. H.S.
6 Weiner, entitled "River contaminants and your memo
7 of March 31st." The Bates number is
8 D256264-D256265, I believe.

9 (Whereupon the document was received
10 and marked Burton 3 for identification.)

11 Q. I would like to ask you to look that
12 over for a minute.

13 A. Okay.

14 Q. Do you recall writing that document,
15 Mr. Burton?

16 A. No.

17 Q. Is that your name which, in fact,
18 appears on the document? Do you have any reason
19 to believe that you are not the person who wrote
20 the document?

21 A. No. Everything in here that I see is
22 clearly a statement of facts and what I would have
23 written today if you asked me to write it today.

24 Q. The second full paragraph of that
25 document, I believe you state that until 1956, all

1 Burton - direct

2 plant effluents were discharged to the Passaic
3 River. Do you recall what the specific effluents
4 were from the Diamond plant prior to 1956?

5 A. It would be a wide variety. The
6 biggest single one in terms of -- other than
7 forgetting water, would be -- it's mentioned in
8 here the 2,6 dichlorophenol and other -- that
9 would be the biggest single one.

10 Another one that was at times of
11 fairly high volume and a problem was muriatic
12 acid. But all in all, it was a wide range of
13 acids and bases and chemicals that had to do with
14 all the various manufacturing processes.

15 Q. Would trichlorophenol liquid waste
16 have been discharged directly to the river prior
17 to 1956?

18 A. Only in very small amounts because
19 trichlorophenol was an expensive chemical and
20 except when it was present in such dilutions, it
21 didn't pay to recover it, we would have recovered
22 it.

23 Q. Were there any liquid wastes other
24 than trichlorophenol itself from the
25 trichlorophenol process?

1 Burton - direct.

2 A. When we were operating the
3 trichlorophenol process by the dilution method,
4 the first method that I mentioned, all the wastes
5 except the trichlorophenol would have gone to the
6 river. That is true of the whole trichlorophenol
7 operation. What these wastes were, actually, I
8 don't know, we didn't know and I don't know now.
9 But they would be a relatively small volume of
10 unknown impurities, probably including Dioxin.

11 Q. Do you recall any particular wastes
12 from the 2,4,5-T process which were discharged to
13 the river prior to 1956?

14 A. The wash water or part of the wash
15 waters from the filtration of sodium 2,4,5-T and
16 at times, the -- just part of the wash waters.
17 Part of the wash waters would normally be
18 recycled. I don't remember the specifics, but
19 part of it discharged as heavy material too dilute
20 to be worth recovering and in the centrifuge or
21 filtration operation of the 2,4,5-T acid itself,
22 again, in the filtrate and wash waters from there,
23 there would be very small amounts of 2,4,5-T acid
24 or even smaller amounts of trichlorophenol all
25 present in such dilutions it wasn't worthwhile to

1 Burton - direct
2 try to recover them.

3 Q. Did either the esterification process
4 or the formulation of amines create liquid wastes
5 that were discharged to the river?

6 A. Yes. The amines, there were no liquid
7 wastes. In making the esters, most of the time we
8 washed the esters to wash out impurities and I
9 believe that part of the time or perhaps all the
10 time, some of that wash water might have been
11 recycled but some of the wash water very clearly
12 was discharged as waste.

13 Q. Did any of the liquid discharges at
14 the Newark plant pass through any sort of
15 treatment system or sump prior to 1956?

16 A. No.

17 Q. In that same paragraph, the second
18 full paragraph on page one of Burton Exhibit 3,
19 you make a reference to the sewerage commission
20 officially objecting to Diamond's polluting of the
21 river. What did you mean by an official
22 objection?

23 A. They caught us.

24 Q. Had the sewerage commission ever
25 inspected the Diamond plant or criticized its

1 Burton - direct.
2 waste discharges prior to 1956?

3 A. Yes.

4 Q. Do you recall when these inspections
5 took place?

6 A. I remember problems before the plant
7 was sold to Diamond. This was a recurring steady
8 problem, the fact that we were discharging
9 materials into the Passaic River which were
10 illegal discharges and therefore, we had to --
11 actually, there were two -- at one point the Army
12 Engineers had some degree of surveillance over it
13 and then -- but the main inspection was from the
14 Passaic Valley Sewerage Commission and they would
15 come in at random times.

16 Q. Did you state that there were
17 inspections as early as the time that you were
18 with Kolker Chemical?

19 A. Yes. I remember specifically the Army
20 Engineers inspector being in once. I don't
21 remember specifically the Passaic Valley Sewerage
22 Commission being in at that time.

23 Q. And did the Army Corps of Engineers
24 inform Kolker that its discharges were illegal?

25 A. Yes.

1 Burton - direct

2 Q. Do you remember what, if anything, the
3 Army Corps of Engineers told Kolker it had to do
4 to correct these illegal discharges?

5 A. I remember this one incident, the
6 hydrochloric acid, it was the same as muriatic
7 acid, you sometimes call it one or the other,
8 being discharged and Charles Kolker blaming the
9 maintenance foreman for allowing that to happen
10 and apparently, discharging him, which the Army
11 engineer inspector said don't discharge him for
12 that violation.

13 Q. And your recollections were discharges
14 of hydrochloric acid or muriatic acid, either one,
15 were common while you were at Kolker?

16 A. What do you mean by "common"?

17 Q. You stated in one particular incident,
18 Mr. Kolker blamed the maintenance man. Was that
19 the only incident of such a discharge or did that
20 happen to be a discharge that came to the
21 attention of the Army Corps of Engineers?

22 A. No, my -- let me explain this thing
23 about muriatic acid. Muriatic acid is a
24 by-product from several of the operations there.
25 It's desirable -- it's a salable by-product. So

1 Burton - direct

2 it's desirable to sell it. But at times, as I
3 mention in this memo, at times, when there are not
4 sales for all of it, then we discharge it into the
5 river. I think in the Kolker days, our sales were
6 relatively fewer, so we probably discharged a
7 larger percentage of it into the river and during
8 the period when I was with Diamond, over the
9 years, I would guess maybe we sold 90 percent of
10 it.

11 Q. Do you have any recollection of the
12 time when either the Army Corps of Engineers or
13 the sewerage commission visited the Lister Avenue
14 plant once Diamond had purchased it?

15 A. I think we probably had one or two
16 visits a year.

17 Q. And were these random visits, as you
18 stated before?

19 A. Yes.

20 Q. Did either the Army Corps of Engineers
21 or the sewerage commission inform Diamond that --

22 A. I would like to make one point clear.
23 I don't remember during the Diamond period, I only
24 remember the Army Corps of Engineers that one
25 instance. I don't remember the Army Corps of

1 Burton - direct
2 Engineers being in later during the Diamond
3 period.

4 Q. Did the sewerage commission ever
5 advise Diamond that any of its discharges to the
6 river were illegal?

7 A. They never caught us at it until this
8 one instance in 1956.

9 Q. And what specifically did the sewerage
10 commission say about the discharges in 1956, that
11 is, what did --

12 A. A pump that was pumping alcohol had a
13 small leak at the packing gland, it was dropping
14 alcohol into a drainage ditch and in turn, right
15 into the river, which was, in terms of total
16 pollution, a ridiculously insignificant amount.
17 But in the context of it, since he knew we had
18 been playing a cat and mouse game, this was a
19 legal reason for him to --

20 Q. When you say, "he," are you referring
21 to a particular inspector with the sewerage
22 commission?

23 A. I only remember one, although it was
24 likely there were others, but it seemed to me that
25 most of the time, there was one inspector.

1 Burton - direct

2 Q. Do you recall his name?

3 A. No.

4 Q. Do you recall his title or position
5 with the sewerage commission?

6 A. No.

7 Q. Was this alcohol leak that you
8 described into the drainage ditch the only
9 specific discharge that he noted on the visit in
10 1956?

11 A. Right.

12 Q. Did this particular inspector or
13 anyone from the sewerage commission or any other
14 agency return to Diamond after this occasion in
15 1956 to inspect your discharges?

16 A. I don't remember specifically, but my
17 general recollection is that this was something
18 that happened once or twice every year. I would
19 assume it went on after that, but I don't remember
20 specifically.

21 Q. Did Diamond receive a specific
22 citation or fine of any sort in connection with
23 this incident in 1956?

24 A. I'm quite sure there was no fine and I
25 don't remember what happened except that in some

1 Burton - direct
2 manner, being caught at having that one particular
3 leak, even though it was a small one, was
4 sufficient that I felt we had to put in a sewer
5 line to get us out of future trouble. I think
6 there was some strong -- I think they almost
7 forced us to do it, but I don't know how.

8 Q. Do you know how long the alcohol leak
9 had existed when the inspector located or detected
10 it?

11 A. No.

12 Q. Do you know whether Diamond was aware
13 of that leak at the time that the inspector
14 detected it?

15 A. Certainly not of that leak. This was
16 really an insignificant thing.

17 Q. Was Diamond aware of any other leaks
18 at the time of this 1956 visit by the sewerage
19 commission inspector?

20 A. They had to be aware. I say it
21 depends on who you are talking about in Diamond.
22 Diamond engineering, the management of chlorinated
23 products division, had to be aware that we were
24 dumping large amounts of chlorophenols in the
25 river, for example.

1 Burton - direct

2 Q. And when you refer to the engineering
3 in chlorinated products division, are you
4 referring to people in Diamond's Cleveland
5 headquarters?

6 A. Yes.

7 Q. Who within the Newark plant would have
8 been aware of the discharge procedures?

9 A. Everyone.

10 Q.. Excuse me?

11 A. Everyone except perhaps girls in the
12 office.

13 Q. Was Diamond making any effort prior to
14 this incident in 1956 with the sewerage commission
15 inspector to either monitor or control the
16 discharges from any part of the Newark plant to
17 the river?

18 A. You have to realize that there is no
19 point to monitoring it. Explain what you mean
20 by "monitor."

21 Q. Were you taking any measurements of
22 discharges or making any chemical analyses of
23 their content?

24 A. No. Let's say the nature of the
25 operation, we knew what they were without

1 Burton - direct

2 measuring.

3 Q. Do you recall what any of those
4 specific leaks were?

5 A. I'm sorry?

6 MS. COOKE: Could you read back his
7 last answer.

8 (Whereupon the record was read.)

9 Q. Let me rephrase my question. Apart
10 from discharges at various points in the process,
11 do you recall any process leaks would have leaked
12 material onto the floors or into the river or into
13 the drainage channels you described before?

14 A. No, but bear in mind that leaks were
15 an infinitesimal part of the total discharge. It
16 would be unimportant.

17 Q. What effluents, if any, continued to
18 just discharge directly to the Passaic River after
19 the sewer connection was made?

20 MR. COX: Could I have the question
21 read back?

22 (Whereupon the record was read.)

23 A. The sewer line was connected only to
24 the 2,4-D building. It would have been possible
25 for us to have pumped some waste material from the

1 Burton - direct
2 main building to the sewer connection in the 2,4-D
3 building but I don't recollect doing that and I
4 think it is very probable we did not do it. In
5 other words, the effluents from the
6 trichlorophenol and DDT and monochloroacetic
7 continued to go direct to the river.

8 Q. Was the main building or were the
9 waste lines from the main building ever connected
10 to the sewer prior to the 1960 explosion?

11 A. Repeat, please.

12 Q. Were the waste streams from the main
13 building ever connected to the sewer line prior to
14 the 1960 explosion?

15 A. That's what I just said, to my
16 recollection, they were not.

17 Q. On the second page of what has been
18 marked as Burton Exhibit 3, the second full
19 paragraph, there is a discussion of acetic and
20 phenolic materials. Do you know whether after the
21 1956 incident with the sewerage commission
22 inspector, Diamond made any effort to treat acetic
23 or phenolic materials before discharging them?

24 A. I want to be clear. Will you say it
25 again?

1 Burton - direct

2 Q. Did Diamond make any effort to treat
3 any acetic or phenolic wastes before discharging
4 them to the river after this incident in 1956?

5 A. From when until when? '56 until
6 when?

7 Q. '56 until the time of the plant
8 explosion.

9 A. No. Well, I would like to qualify
10 that a little bit, though. We were always trying
11 to dispose as much of these effluents -- for
12 example, the sale of muriatic acid, there was a
13 steady press release by myself on the sales
14 department to sell muriatic acid at any price so
15 we wouldn't have to discharge in the river. At
16 the time we were making DDT, we also recovered
17 sulfuric acid from that operation, which at one
18 stage was discharged to the river. We recovered
19 this and sold this back to the manufacturers of
20 sulfuric acid. So those were two materials that
21 in terms of trying to dispose of them elsewhere,
22 we were trying to do.

23 Q. Were there any breaks that you recall
24 in the piping or waste lines of Diamond where
25 waste could have escaped onto the ground before

1 Burton - direct
2 reaching the river?

3 A. Yes.

4 Q. Do you recall where that would have
5 been, what locations?

6 A. Our storage tanks for most of the raw
7 materials and for most of the finished products
8 were located in areas surrounded by ground so that
9 leaks there would have percolated into the ground.

10 Q. Do you recall any other points on the
11 plant property where wastes or materials could
12 have leaked onto the ground?

13 A. No, it was only in connection with
14 storage tanks.

15 MR. SHEFT: Could you read the last
16 question and answer back.

17 (Whereupon the record was read.)

18 A. I might add that our tank car loading
19 of products from storage tanks into tank cars or
20 unloading of raw materials from tank cars also was
21 in a ground area where the same thing would
22 apply.

23 Q. Was sodium TCP kept in the storage
24 tanks to which you referred?

25 A. No, that was one of the few products

1 Burton - direct

2 where the storage tank was inside the building.

3 The loading would have been done at a railroad

4 siding in the ground area.

5 Q. Was 2,4,5-T in any form kept in any of
6 the storage tanks you referred to?

7 A. No. That was a solid material.

8 Q. Were finished esters or amines kept in
9 any of the storage tanks you referred to?

10 A. Yes.

11 Q. Was recycled methanol or recycled
12 trichloroanisole stored in the tanks you referred
13 to?

14 A. No.

15 Q. Where would they have been stored?

16 A. In tanks in the building.

17 Q. Were there any provisions made to
18 contain spills or leaks from any interior, inside
19 storage tanks?

20 A. No.

21 Q. Referring again to page two of Burton
22 Exhibit No. 3, paragraph five, which I believe is
23 the last paragraph, you refer to unimportant
24 violations resulting from washdowns. What did you
25 mean by that reference?

1 Burton - direct

2 A. Just what it says, we get from washing
3 down the floors.

4 Q. What violations were you referring to,
5 though? Violation of particular regulations?

6 A. The regulation on the Passaic River,
7 you couldn't spit in the river without being in
8 violation.

9 Q. Do you know how long these regulations
10 you are referring to had been in effect?

11 A. No.

12 Q. Were they in effect when you arrived
13 at Kolker, to your knowledge?

14 A. I'm quite sure they were.

15 Q. You also refer in the end of Burton
16 Exhibit 3 to poor housekeeping at the riverfront
17 or comments about poor housekeeping at the
18 riverfront. What activities were carried on at
19 the riverfront?

20 A. Normally nothing except the area
21 adjacent to the riverfront, except by the main
22 building, where there was no adjacent area, was a
23 convenient area for storing drums or equipment or
24 odds and ends.

25 Q. Were drums and equipment stored

1 Burton - direct
2 directly on the ground at the riverfront in this
3 1950's time period?

4 A. My recollection is that all of that
5 riverfront area at one point we had covered with
6 concrete. It would be stored on that.

7 Q. Do you have any recollection of when
8 that would have been that you covered the area
9 with concrete?

10 A. No.

11 Q. Do you have any recollection of spills
12 at the riverfront where these materials were
13 stored, materials and equipment?

14 A. Nothing specific, but again, these
15 would be small items that I wouldn't be concerned
16 with.

17 Q. Was any housekeeping done at the
18 riverfront in terms of cleaning up if there had
19 been a spill or leak from stored equipment or
20 materials?

21 A. The same as housekeeping everywhere,
22 always a steady problem to try to keep things
23 clean and orderly.

24 Q. Were there criticisms of the
25 housekeeping of the riverfront on more than one

1 Burton - direct

2 occasion by either the sewerage commission or any
3 other agency?

4 MR. COX: I object to the form of the
5 question, the "more than one occasion." There is
6 implication there was. I don't think there was
7 any testimony to that.

8 Q. I believe on the second page of Burton
9 Exhibit 3, there is reference to complaints of
10 poor housekeeping at the riverfront. Let's first
11 establish who made those complaints, if you
12 recall?

13 A. Now would you repeat the question now
14 that I read this?

15 Q. Who, if you recall, made comments or
16 complaints about poor housekeeping at the
17 riverfront?

18 A. This one inspector whose name I don't
19 remember and it may have been others, but I
20 remember the one inspector commenting about this.

21 Q. And did he comment about the
22 particular issue on more than one occasion?

23 A. I don't remember. I will stand with
24 what I said here.

25 Q. At the time that you wrote this memo,

1 Burton - direct

2 Burton Exhibit 3, did Diamond have the capability
3 to separate or neutralize phenolic effluents from
4 the rest of its waste?

5 A. It was always the capability of doing
6 it, but the cost of doing it would make the whole
7 operation uneconomical. For example, at J.T.
8 Baker, we were making 2,4-D and discharging the
9 effluent to the Delaware River and then when we
10 found this was a serious contaminant and that we
11 couldn't or shouldn't keep on doing it, we shut
12 the whole operation down. It was not economic to
13 continue the operation. In other words -- (no
14 further response).

15 Q. Mr. Burton, I have another document
16 which I would like to introduce as Exhibit 4 dated
17 October 12, 1959, from Mr. J. Burton to Mr. P.J.
18 Koskey, K-o-s-k-e-y. The Bates number is not
19 decipherable.

20 (Whereupon the document was received
21 and marked Burton 4 for identification.)

22 Q. I ask you to look at that.

23 Do you recall that memorandum, Mr.
24 Burton?

25 A. No.

1 Burton - direct

2 Q. Do you have any reason to believe that
3 you did not write that memorandum?

4 A. I have no reason to believe I did not
5 write it.

6 Q. Do you recall a visit by Mr. Holder to
7 Boehringer?

8 A. I don't remember this specific one but
9 I know that at various times, people from Diamond
10 headquarters visited Boehringer and one of those
11 would be Thornton Holder.

12 Q. What was Thornton Holder's position
13 within Diamond at the time you wrote that memo?

14 A. I don't know what his title was, but
15 he was what I thought of as a patent counsel; in
16 other words, in terms of being involved in Diamond
17 patenting processes or in terms of licensing
18 Diamond's processes to others.

19 Q. What was Mr. Koskey's position, if you
20 recall?

21 A. He was the production manager at the
22 Newark plant.

23 Q. What would his responsibilities have
24 been as production manager?

25 A. To supervise the production; in

1 Burton - direct

2 relation to processes, to operate the processes in
3 accordance with the procedures that you might say
4 I authorized.

5 Q. You state in that memo that
6 Boehringer's recommendation essentially confirmed
7 Diamond's own test. To what test were you
8 referring?

9 A. Actually, this very much surprises me
10 because the 170 degrees operating temperature
11 contradicts my recollection of what our
12 conclusions were coming to be.

13 Q. Do you recall that you eventually
14 reached a conclusion that differed from the
15 recommendations you received?

16 A. Yes. My conclusion was 160 degrees
17 was the maximum safe operating temperature.

18 Q. And I take it your conclusion differed
19 from what you heard from Boehringer and others?

20 A. Yes. This 110 degrees in the methanol
21 distillation, that was one of the points that I
22 think I mentioned in this Exhibit 2 and it may be
23 that this was one of the supporting reasons for my
24 adopting that procedure myself later, although
25 obviously, at the same time, I didn't drop the 170

1 Burton - direct

2 degrees.

3 Q. What test was Diamond conducting at
4 the time that the Boehringer information would
5 have confirmed or not confirmed?

6 A. We were testing batches made at
7 different operating temperatures to see if the
8 operating temperature affected the chloracnegen
9 properties of the material and actually, I think
10 we were running tests to verify the other two,
11 also. I think these were all three items that we
12 at the Newark plant were trying to establish in
13 relation to their effect on the chloracnegen in
14 the product.

15 MS. COOKE: I would like to introduce
16 as Exhibit 5 a memo dated July 6, 1955, from L.P.
17 Scoville to Mr. J. Burton, Bates number D58830.

18 (Whereupon the document was received
19 and marked Burton 5 for identification.)

20 Q. I ask you to look at that, Mr.
21 Burton.

22 A. Okay.

23 Q. Could you tell us who L.P. Scoville
24 was?

25 A. Yes. He was the manager of the

1 Burton - direct
2 chlorinated products division.

3 Q. Would he have been located in
4 Cleveland?

5 A. Yes.

6 Q. Who was Mr. Renner, if you recall?

7 A. He was the operator of the trichlor --
8 one of the operators of the trichlorophenol unit
9 and he had a bad case of chloracne.

10 Q. Was the bad case of chloracne the
11 reason for Mr. Scoville's recommendation that you
12 discontinue Mr. Renner's employment?

13 A. Yes.

14 Q. Do you remember any similar
15 recommendations regarding any other Newark plant
16 employees during the years you were at the plant?

17 A. You mean made from Diamond
18 headquarters?

19 Q. First of all, yes, any from Diamond
20 headquarters?

21 A. No.

22 Q. Do you recall whether you or anyone
23 else in a supervisory position at the Newark plant
24 made recommendations that any other employees
25 discontinue their employment due to chloracne?

1 Burton - direct

2 A. No. As a matter of operating
3 philosophy, I wouldn't discharge any employee.
4 One employee I know, Walter Lamoreaux, had a bad
5 case of chloracne and I found or made a job for
6 him in office work so he wouldn't be exposed, as
7 far as we knew then, to any of the fumes.

8 Q. Do you ever make a particular
9 determination of where within the plant Mr. Renner
10 was exposed to the chloracnegens?

11 A. Yes, he was the operator in the
12 trichlorophenol unit or one of the operators.

13 Q. Following this memo from Mr. Scoville,
14 did you or anyone else within Diamond arrange for
15 an industrial hygiene survey of the Newark plant?

16 A. No, which I might add, because in the
17 specific problems of this particular chemical
18 plant, I thought it was meaningless. If Diamond
19 said do it, I would have done it, but on my own
20 volition I wouldn't do it because I didn't think
21 it would do any help.

22 Q. Do you know why Mr. Scoville stated
23 that industrial hygiene survey --

24 A. Scoville was a typical bureaucrat and
25 it sounds like the right thing to do.

1 Burton - direct

2 Q. My question is do you know why he
3 specifically referred to testing the atmosphere or
4 to ventilation as a problem?

5 A. Because this, again, is a textbook
6 thing to do.

7 Q. Do you know what he meant when he
8 referred to the bad actors in that memo?

9 A. I'm sorry, where is -- oh, I see. I
10 presume -- I don't know why he said "bad actors"
11 because as far as we knew then, there was only one
12 bad actor and that was the trichlorophenol unit.

13 Q. Did Mr. Scoville's memo lead you to
14 consult other manufacturers of trichlorophenol
15 with respect to the chloracne problem?

16 A. This one puzzles me a little because
17 consulting with the other manufacturers I thought
18 was done around the 1957 period and I thought it
19 was done at my own instigation. I remember myself
20 badgering the management to permit me to do it,
21 which doesn't match with this. So at this point,
22 I don't know.

23 MR. SHEFT: Could you read that answer
24 back, please.

25 (Whereupon the record was read.)

1 Burton - direct.

2 Q. So I take it you don't recall
3 contacting other companies in 1955 on Mr.
4 Scoville's recommendation?

5 A. Right.

6 Q. I would like to introduce as Burton
7 Exhibit 6 an undated memo from Jean
8 P-a-d-e-l-s-k-y, secretary to J. Burton and R.
9 Guidi, to a Mr. Jeffries, and ask you to look at
10 this once it's marked.

11 (Whereupon the document was received
12 and marked Burton 6 for identification.)

13 A. No date connected with this?

14 Q. Apparently not. Have you had an
15 opportunity to look at that, Mr. Burton?

16 A. Yes.

17 Q. Could you tell us who Mr. Jeffries
18 was?

19 A. No. I don't remember the name at all.

20 Q. Do you have any recollection of a
21 request for an exam of an employee of a M-e-l-o-s
22 Electric Company?

23 A. No. Melos Electric, incidentally, was
24 the firm that did all of our electrical work.
25 They had someone working at the plant almost all

1 Burton - direct
2 the time during the 1950's. But I don't remember
3 this particular individual, although again, I
4 probably would have known him by his first name
5 and wouldn't be apt to remember this last name
6 that appears here.

7 Q. Do you remember any occasion on which
8 Diamond requested examinations of employees of
9 other companies who worked on the Newark plant
10 premises?

11 A. No.

12 MS. COOKE: I would like to introduce
13 as the next exhibit a document dated 3/25/65 from
14 E.L. Chandler to John Cort, Jr., Bates numbers
15 D253697, I believe, through D253699.

16 (Whereupon the document was received
17 and marked Burton 7 for identification.)

18 Q. I ask that you read that over, Mr.
19 Burton.

20 A. Yes, I'm familiar with this.

21 Q. Can you tell me, if you recall, when
22 you first saw this document that has been marked
23 as Exhibit 7?

24 A. I don't know specifically what time,
25 but it was when I was working for Bill Mitchell.

1 Burton - direct

2 Q. Was that one of the documents you saw
3 at the Newark plant site in the files there?

4 A. Yes.

5 Q. Apart from that document, can you tell
6 us whether you had any knowledge of a meeting at
7 Dow Chemical in 1965 on the topic of Dioxin in the
8 time period of the meeting, that is, in 1965?

9 A. I don't know.

10 Q. Can you tell us who Cy Perkins is?

11 A. I believe he was in Diamond's research
12 department at Cleveland.

13 Q. Do you know whether he is also known
14 as J.H. Perkins?

15 A. Again, please?

16 Q. Do you know whether he was also known
17 as J.H. Perkins?

18 A. No.

19 Q. During the time that you were employed
20 at Diamond, did you ever hear that Mr. Perkins had
21 suggested that Dioxin was a cause of chloracne?

22 A. Not specifically, but I do remember
23 that a man Alex Hlynsky, H-l-y-n-s-k-y, had
24 suggested this family of compounds, not
25 specifically this one Dioxin, but this general

1 Burton - direct
2 family of compounds as likely suspects.

3 Q. I may have asked you this this
4 morning, I apologize for repeating myself, do you
5 have any recollection of the time period in which
6 this Mr. Hlynsky suggested this family of
7 compounds as a suspected cause of chloracne?

8 A. I would think in the '58 or '59
9 period.

10 Q. Do you know what, if any, efforts
11 Diamond undertook at the time to confirm whether
12 this family of compounds caused chloracne?

13 A. They were doing some analytical work
14 but their infrared analysis, which was their
15 mainstay, as I remember, was not applicable to
16 these kinds of compounds.

17 MS. COOKE: I would like to introduce
18 as the next exhibit a document dated April 12,
19 1960, from Mr. J. Burton to Mr. J.A. Berror. The
20 Bates numbers appear to be D256725-D256726;
21 subject: Meeting at Monsanto. I apologize, it's
22 not very legible.

23 (Whereupon the document was received
24 and marked Burton 8 for identification.)

25 Q. Mr. Burton, I recognize the second

1 Burton - direct

2 page is very difficult to read. I'm not going to
3 ask you specific questions on that page. Please
4 don't be too alarmed.

5 A. I'm familiar -- I don't remember this
6 memo but I'm familiar with the context of what
7 it's about. Maybe I can answer your questions, if
8 you have them.

9 Q. Does this memo refer to visits you
10 made to Monsanto in connection with this joint
11 venture to try to identify the cause of chloracne?

12 A. Yes.

13 Q. In I believe it's the third paragraph
14 of the memo, you refer to a Monsanto chloracne
15 problem which you understood came from efforts to
16 clean up bad trichlorophenol distributed through
17 Monsanto's building by a rupture in a vapor line.

18 A. Right.

19 Q. Do you recall any details, what
20 Monsanto told you about either the cleanup of that
21 TCP or about their chloracne problem?

22 A. Yes. About 1950 plus or minus a year,
23 they had a buildup of excessive pressure in the
24 autoclave and it blew out the rupture disk, which
25 is what is designed to contain that pressure or to

1 Burton - direct
2 relieve the pressure if it becomes excessive.
3 That, in turn, liberated a lot of fumes and
4 actually liquid spill. In other words, everything
5 in the autoclave blew out in the adjacent area.
6 And they had after that a very bad, some 50 cases,
7 I remember, of chloracne, but it was prevalent
8 principally among the men who were doing the
9 cleanup work.

10 So the contaminant would have been in
11 the batch itself, which was distributed around the
12 area and then, in turn, cleaned up.

13 Q. Do you know whether there was any
14 liquid spill associated with the explosion at
15 Diamond in 1960?

16 A. I can say there has to be by the
17 nature of what happened. But as we mentioned
18 before, the fire and explosion afterwards
19 presumably generated enough temperature to destroy
20 the Dioxin because it's coincidental that the same
21 thing I think mentioned happened at Thompson
22 Hayward. Did I?

23 MR. SHEFT: No.

24 A. They had an explosion in their
25 autoclave and they had a fire afterwards and there

1 Burton - direct

2 was no problem from Dioxin. So if you have an
3 explosion followed by a fire, you are lucky.

4 Q. When you say no problem from Dioxin,
5 do you mean no chloracne outbreak in the cleanup
6 process?

7 A. Right.

8 Q. So I take it from your knowledge,
9 there was no distinct chloracne outbreak in the
10 Diamond cleanup process following the 1960
11 explosion?

12 A. Right.

13 Q. What, if any, conclusions did you draw
14 about the cause of chloracne from the fact that
15 Monsanto had experienced a major outbreak in
16 cleaning up its trichlorophenol?

17 MR. COX: Could we get a time on
18 that? You could ask him at any time you want, but
19 I'm troubled by it.

20 Q. At the time of your meeting with
21 Monsanto, did you draw any conclusions about the
22 cause of chloracne from what Monsanto told you
23 about their rupture disk failure and cleanup
24 efforts?

25 A. I don't remember -- I do remember

1 Burton - direct
2 diagnosing probably why they had the trouble, that
3 they probably had a methanol leak in the batch was
4 probably the reason for the excessive reaction
5 temperature that blew the rupture disk. I don't
6 remember drawing any conclusions about that
7 relation to Dioxin, although I think we all
8 generally understood at the time that this would
9 be a very high temperature and at very high
10 temperature, Dioxin was likely to be formed.

11 Q. At the time of this April 12, 1960,
12 memo, other than Mr. Hlynsky's suggestion that a
13 certain family of compounds may have been the
14 cause of chloracne, did you have any knowledge of
15 Dioxin as a chloracne cause?

16 A. At what time?

17 Q. At the time of your April 12, 1960,
18 memo, this exhibit.

19 A. No.

20 MS. COOKE: I would like to introduce
21 as the next exhibit a document, the date is in
22 1956 but it's not completely legible, from Mr.
23 J.J. Browne, I believe, B-r-o-w-n-e, to Mr. J.
24 Burton; subject: Chronological listing of process
25 development, 2,4,5-TCP, Bates numbers

1 Burton - direct

2 D49603-D49604.

3 (Whereupon the document was received
4 and marked Burton 9 for identification.)

5 A. Okay.

6 Q. Do you recall that document, Mr.
7 Burton?

8 A. No.

9 Q. Does the content of the document
10 appear familiar to you?

11 A. Yes.

12 Q. There is a reference in the document,
13 I believe it's on the first page, to the years
14 1952 through 1954 and referring to a large and
15 small autoclave. It may be on the next page.

16 A. I see it.

17 Q. Is that what you described this
18 morning about the installation of a second
19 autoclave, second larger autoclave?

20 A. Yes.

21 Q. Did the small and large autoclave or
22 did both continue to exist until the time of the
23 1960 explosion?

24 A. Yes.

25 Q. And which autoclave was it that --

1 Burton - direct

2 A. The large one.

3 Q. Which was destroyed in the 1960
4 explosion?

5 A. The 1953, I believe it was.

6 Q. The large one was installed in 1953?

7 A. I think that's the date that I gave
8 you before, which I simply took by looking at this
9 other exhibit.

10 Q. So what you just indicated was that it
11 was the large autoclave that was destroyed in the
12 explosion?

13 A. Right.

14 Q. There is also reference to July 13,
15 1954, to the first batch being steam stripped. I
16 take it that refers to the steam stripping process
17 we discussed earlier?

18 A. Right.

19 Q. There is an additional reference to
20 October of 1954 and 2,4,5-T production. Was that
21 the first time that Diamond produced 2,4,5-T?

22 A. No. Under the dilution process, we
23 didn't -- the direct sodium salt was not
24 applicable.

25 Q. For November of 1954, there is a

1 Burton - direct
2 reference to there being no production. Do you
3 recall the reason for not having any production at
4 that time?

5 A. No, but this is -- I'm surprised it's
6 even entered in here because in terms of sales and
7 production planning, there would often be periods
8 where we would shut down production because we
9 foresaw we had too much inventory.

10 Q. There is a reference in January '55 to
11 use of the Badger still as a steam stripper. Was
12 Diamond using something other than the Badger
13 still as a steam stripper prior to January of
14 1955?

15 A. Apparently so, but this is something I
16 don't remember. I had thought we were using the
17 Badger still all the time but from this, obviously
18 we were not.

19 Q. Was there any particular significance
20 to the use of the Badger still as opposed to some
21 other vessel?

22 A. No.

23 Q. There is also reference to March 1955
24 and April 1955 of rupture disk failures. Do you
25 recall rupture disk failures in 1955?

1 Burton - direct

2 A. I recall one of them, yes.

3 Q. Did that --

4 A. I recall it happening at one time, but
5 I don't remember when.

6 Q. Did the failure that you recall
7 involve an actual explosion?

8 A. No.

9 Q. Did --

10 A. The rupture disk did what it's
11 supposed to do, relieve excess pressure.

12 Q. Did that release of pressure involve
13 the release of any gaseous or liquid material, to
14 your recollection?

15 A. Yes, it would have relieved gasses and
16 perhaps almost probably some liquid, too.

17 Q. Were the gasses released into the
18 process building?

19 A. No.

20 Q. Where would they have been released?

21 A. The rupture disk line was vented to a
22 pipe that led over the edge of the riverfront, so
23 in effect, it would be released toward the surface
24 of the river adjacent to the plant.

25 Q. How far above the surface of the river

1 Burton - direct.

2 would the gasses have been released?

3 A. I don't remember.

4 Q. Is this a vent that came out of the
5 side of the process building, as opposed to the
6 roof?

7 A. It would come out the side of the
8 building and then face down toward the river, in
9 other words, the place that would seem to be least
10 likely of any problems for anything vented out.

11 Q. Where would the liquid have ended up
12 if the liquid was released?

13 A. In the river.

14 Q. Would it have escaped through some
15 sort of release pipe?

16 A. The rupture disk, yes, was connected
17 to a pipe and this is a pipe that in turn led to
18 the edge of the river.

19 Q. So the liquid would have left the
20 autoclave in the same manner as any gasses?

21 A. Right.

22 MS. COOKE: Off the record.

23 (Whereupon a discussion took place off
24 the record.)

25 THE WITNESS: Thinking further about

1 Burton - direct

2 this pipe, I said it bent down toward the river.

3 I don't think it did. I think it ended up facing
4 horizontally toward the river.

5 (Whereupon a discussion took place off
6 the record and a recess was taken.)

7

8 CROSS EXAMINATION BY MR. SHEFT:

9 Q. Mr. Burton, good afternoon. My name
10 is Peter Sheft. I'm an attorney with the firm of
11 Sheft, Wright and Sweeney. I represent certain
12 underwriters at Lloyds and certain companies,
13 certain foreign insurance companies.

14 I would like you to take a look at
15 Burton number three, which has been previously
16 marked and identified. This is a memorandum that
17 you authored dated April 4, 1960. Mr. Burton, do
18 you have any recollection of what precipitated
19 this memo, what the basis of it was?

20 A. No.

21 Q. Can you define for me what is meant by
22 an effluent?

23 A. All of the liquid discharges from the
24 plant.

25 Q. We are speaking of discharges from the

1 Burton - cross

2 Lister Avenue plant?

3 A. Right.

4 Q. So this memorandum --

5 A. More commonly, the word would not
6 include something like cooling water. Commonly
7 it's thought of in terms of things that might have
8 some contamination or other problems.

9 Q. What do you mean when you say the
10 word "contaminated"?

11 A. Have any chemicals of any kind in
12 them.

13 Q. Would that also include toxic
14 substances?

15 A. Yes.

16 Q. And there were toxic substances which
17 were discharged into the Passaic River from the --

18 A. In the broad context of toxic, yes.

19 Q. What do you mean by "the broad context
20 of toxic"?

21 A. I mean they weren't necessarily
22 toxic. It wasn't necessarily toxic to any
23 materials in the river. They could be toxic, for
24 example, if ingested, but --

25 Q. Ingested by a human being?

1 Burton - cross

2 A. Yes, or ingested by anything.

3 Q. What type of substances would those
4 be?

5 A. The most --

6 Q. I'm referring specifically to
7 discharges from the Newark plant into the Passaic
8 River.

9 A. The principal one in terms of toxicity
10 would be the chlorophenols, more specifically the
11 2,6 dichlorophenol.

12 Q. And this was an effluent. Where did
13 the 2,4,6 chlorophenol come from?

14 A. It was a by-product of making 2,4-D
15 acid.

16 Q. In addition, were there by-products of
17 the 2,4-T operation that were also discharged into
18 the Passaic River?

19 A. Yes, although relatively minor in
20 amounts.

21 Q. And what were those by-products?

22 A. We don't know precisely because we
23 never analyzed the impurities connected with
24 trichlorophenol. There would be some of the 2,4,5
25 trichlorophenol itself and in connection with

1 Burton - cross

2 that, and in connection with 2,4-D, there would be
3 some 2,4,6 trichlorophenol and there would
4 probably be minor amounts of various chlorinated
5 phenols that I wouldn't know specifically what and
6 in what amounts, but the biggest single one would
7 be this 2,6 dichlorophenol and the second biggest
8 one would be probably 2,4 dichlorophenol.

9 Q. You had testified earlier that the
10 discharges also included Dioxin. Is that correct?

11 A. Only because not that we ever analyzed
12 it, but just by the nature of the fact we knew we
13 were producing them and logically, from a
14 technical point of view, some of these would be
15 carried, for example, over to the -- in the
16 anisole and some would go into the water layer,
17 where the anisole was separated from the water
18 layer and the water layer, in turn, discharged to
19 the river. Some were probably carried on into the
20 2,4,5-T acid step and discharged as waste water
21 during that operation, but this is all just
22 theoretical estimation.

23 Q. So is it fair to say, then, in each of
24 these steps that you just referenced in your
25 answer, these by-products included Dioxin?

1 Burton - cross

2 MR. COX: I object to the form of the
3 question as to what is fair to say.

4 Q. Can you answer the question, please.

5 MR. COX: The question is is it fair
6 to say this.

7 MR. SHEFT: Excuse me, Mr. Cox, would
8 you let the witness answer the question.

9 MR. COX: I want the witness to
10 understand the question, which is silly.

11 MR. SHEFT: If the witness doesn't
12 understand the question, he can say so on the
13 record.

14 A. I will answer it this way: In my
15 opinion, from a technical point of view, it is
16 probable that there were some amounts of Dioxin
17 discharged.

18 Q. And those discharges came from where,
19 Mr. Burton?

20 A. From the trichlorophenol and 2,4,5-T
21 acid manufacturing steps.

22 Q. And those were discharges into the
23 Passaic River. Is that correct?

24 A. No, only prior to the connection in,
25 when was it, 1956 or whenever to the city sewer.

1 Burton - cross

2 After that, discharges from the 2,4,5-T acid
3 section would have gone to the city sewer.

4 Q. You also mentioned another area where
5 Dioxin was a possible by-product that was
6 discharged.

7 A. From the trichlorophenol operation
8 itself.

9 Q. Right.

10 A. That, in turn, would always have gone
11 to the river.

12 Q. That would have always gone to the
13 river?

14 A. Right.

15 Q. Even after 1956, when the sewer
16 connection was hooked up?

17 A. Right.

18 Q. And that discharge continued through
19 1960, when you left the plant. Is that correct?

20 A. Right.

21 Q. Mr. Burton, just bear with me for a
22 second. It wasn't until 1956 that there was a
23 sewer connection with the Passaic Valley Sewerage
24 Commission. That's correct, right?

25 A. Whatever I gave before.

1 Burton - cross

2 Q. That's what the memorandum says.

3 A. Okay.

4 Q. And that hookup was not made until
5 that time, you testified, because it wasn't until
6 that time that the Passaic Valley Sewerage
7 Commission caught you. Is that correct?

8 A. Correct.

9 Q. Caught you in discharging waste into
10 the river. And I believe you also testified
11 earlier that from the time that you began your
12 employ at Kolker Chemicals through your employ at
13 Diamond Shamrock, there were regulations
14 promulgated which made it illegal to dispose of
15 any substances into the Passaic River. Is that
16 correct?

17 MR. COX: I object to the form of the
18 question. He testified to whatever he testified
19 to. Why don't you go ahead and ask him a question
20 instead of did you testify to something.
21 Obviously, he testified to whatever is on the
22 record. Why don't we get ahead and ask him a real
23 question.

24 MR. SHEFT: I will conduct the
25 examination as I see fit, Mr. Cox.

1 Burton - cross

2 MR. COX: You are wasting our time and
3 you are wasting the witness's time.

4 MR. SHEFT: That may well be. Bear
5 with me.

6 Q. Would you answer the question, please,
7 Mr. Burton.

8 MR. SHEFT: Would you please read it
9 back to him.

10 (Whereupon the record was read.)

11 MR. COX: Do you believe that's what
12 he believes, sir.

13 MR. SHEFT: Please stop coaching the
14 witness.

15 MR. COX: I don't have to coach the
16 witness. Your question starts off "I believe,"
17 "is that correct." How does he know what you
18 believe is correct?

19 MR. SHEFT: That wasn't the question.

20 MR. COX: That's the way it was read.

21 Q. Would you answer the question, Mr.
22 Burton, please.

23 A. To the best of my knowledge, that is
24 correct.

25 Q. So Diamond Alkali was never caught

1 Burton - cross
2 until 1956 by the Passaic Valley Sewerage
3 Commission. That's correct?

4 A. Correct.

5 Q. And who at Diamond made the decision
6 to dispose of effluents and other by-products into
7 the river from 1951 through 1956?

8 A. The decision as such was never made.
9 When I came to work for Kolker in September 1949,
10 these materials were being discharged into the
11 river and when Diamond bought the plant, we
12 continued to do it.

13 Q. It was just a matter of custom and
14 practice, in other words?

15 A. Right.

16 Q. And that custom and practice was in
17 violation of applicable law. Is that correct?

18 A. Yes.

19 Q. The memorandum also makes reference to
20 the New York Harbor Commission. The memorandum
21 states the New York Harbor Commission was also
22 interested in keeping it free from strong acids as
23 a safeguard to shipping. Do you have any
24 recollection of that?

25 A. It rings kind of a faint bell but

1 Burton - cross

2 nothing specific. That might have been connected
3 with the Army Corps of Engineers, who had the same
4 kind of interest.

5 Q. Do you have any recollection whether
6 or not the Passaic Valley Sewerage Commission ever
7 fined Diamond Alkali for any discharges into the
8 river?

9 A. I have no such recollection.

10 Q. The last sentence of the second
11 paragraph says that in the past year or two, the
12 PVSC, I assume that means the Passaic Valley
13 Sewerage Commission, has apparently been making
14 more efforts to clear these up. Was that with
15 reference to contamination in the river?

16 A. Yes. It refers to references
17 everywhere in the river because there were a
18 number of people violating, clearly violating the
19 regulations.

20 Q. The third paragraph, the first
21 sentence, states "As I recollect, the official
22 rules by the sewer department of Newark are that
23 no material be put into the city sewer unless it
24 is neutral and pretty free of chemicals."

25 Was the sewer department of Newark a

1 Burton - cross
2 separate and distinct entity from the Passaic
3 Valley Sewerage Commission?

4 A. Yes, in the sense that when we put the
5 sewer line out to the Lister Avenue sewer, I had
6 to get approval from two departments of the City
7 of Newark, one was some branch of the health
8 department and one was a branch of the engineering
9 department.

10 Q. What I'm trying to ascertain is
11 whether or not the Passaic Valley Sewerage
12 Commission was a separate sewage authority from
13 the Newark city sewerage department.

14 A. Yes.

15 Q. And when you were hooked up, when you
16 made your connection on Lister Avenue to the sewer
17 system, were you connected to the Newark city
18 sewer?

19 A. I'm not sure on this. My recollection
20 is somewhat vague but I understood we were
21 connected into a so-called industrial sewer, which
22 apparently in some form was under supervision of
23 the City of Newark and yet the overall
24 responsibility for the whole sewerage in that
25 section of New Jersey was the responsibility of

1 Burton - cross

2 the Passaic Valley Sewerage Commission.

3 Q. I understand. Thank you.

4 The next sentence says, "We checked
5 our particular Lister Avenue branch before we
6 connected to it, however, and found a variety of
7 acids and chemicals which were actually being put
8 in it."

9 Where were these acids and chemicals
10 coming from?

11 A. From other chemical plants. See, my
12 point was that the materials that we were putting
13 in under the regulations of the health department
14 would be illegal. But on the other hand, it was
15 like the 55 mile speed limit, everybody was doing
16 it. So that I had samples taken prior to our
17 connection and stored for future reference, if
18 needed, so that if I was challenged that we were
19 illegally putting contaminants in the sewer, I
20 could at least point out that lots of other people
21 were doing it before us. In other words, it was
22 common practice.

23 Q. So Diamond Alkali was discharging
24 contaminants into the sewerage?

25 A. In terms of the regulations of the

1 Burton - cross

2 health department -- I say health department, I'm
3 not sure that's the right department, but in my
4 mind, it was connected at least with the health
5 department.

6 Q. And Diamond's discharges were not in
7 compliance with the applicable regulations at that
8 time?

9 A. Right.

10 Q. And was Dioxin also discharged into
11 the sewer from the plant?

12 A. Going back to what we said before, I
13 would presume that small amounts, and this might
14 well have been amounts that were toxicologically
15 insignificant, would have been discharged as a
16 by-product of the 2,4,5-T acid or 2,4,5-T ester
17 operations. But this is speculation.

18 Q. The top of page two of the memorandum,
19 the first sentence indicates that "All of our
20 unsold muriatic acid is dumped in the Passaic."

21 Was that true on April 4, 1960, when
22 you wrote this memorandum?

23 A. Yes, except the plant was shut down
24 from February 20th to some indefinite period, but
25 it was true up to the time when the plant was shut

1 Burton - cross
2 down because of the explosion.

3 Q. In 1958, the memorandum indicates
4 2,000 tons of muriatic acid were dumped into the
5 river. What would the commercial value of that
6 product have been at that time, that amount of
7 product?

8 A. It was selling somewhere between \$20
9 and \$30 a ton. Although we had a contract made at
10 some time to take some at \$16 a ton, but I thought
11 that was on the basis they had to take everything,
12 but obviously, they didn't, since we dumped both
13 in '58 and '59.

14 Q. Why did you dump, was there not a
15 commercial market for this amount of product?

16 A. Right. Generally speaking, at that
17 time, in the Newark area, hydrochloric acid was a
18 surplus commodity.

19 Q. Would it not have been considered a
20 surplus product in another part of the United
21 States?

22 A. Right, in some parts of the United
23 States, such as in the southwest, they were
24 manufacturing it.

25 Q. For sale at \$20 or \$16 a ton?

1 Burton - cross.

2 A. I don't know what the prices were in
3 different parts of the country.

4 Q. You go on to say that "incidentally,
5 this may seem like a large amount." Was this a
6 large amount of product in terms of its volume?

7 A. A pound of muriatic acid weighs-- I'm
8 sorry, a gallon of muriatic acid weighs about ten
9 pounds or nine pounds, so you can calculate, if
10 you want to, what the gallonage was.

11 Q. Would you consider that to be a
12 significant quantity of product?

13 A. Yes.

14 Q. 2,000 tons? Yes?

15 A. Yes.

16 Q. You go on to say, "As I indicated
17 before, this may seem like a large amount but the
18 flow in this river is very large and we get no
19 apparent corrosion in our cooling water system,
20 even though our river water pump intakes are
21 located between our points of dumping muriatic."
22 Did you have a concern, was it your concern that
23 this discharge of acid was corroding your pipes?

24 A. I don't recollect specifically except
25 obviously, if we are putting acid in the river and

1 Burton - cross

2 at the same time, taking water out of the river
3 and pumping it through iron pipes, it's something
4 to think about.

5 Q. The same pipes which --

6 A. Not the same pipes.

7 Q. Could you explain, then?

8 A. Let's see what I said here. It's the
9 by-product, muriatic acid, the principal point of
10 discharge of surplus muriatic was maybe 40 or 50
11 feet upstream from where we took cooling water
12 from the river. Another point where we would have
13 occasionally discharged but not often would have
14 been maybe 30 feet downstream from where we took
15 out cooling water.

16 Q. So with reference to the discharge of
17 muriatic acid above the pipes, upstream, did
18 you --

19 A. And this stream flowed both ways. It
20 was on tidewater, so it flowed in and out.

21 Q. So in either direction, given the
22 predictable tide, did you have a concern that the
23 acid in the river might, when mixed with the water
24 which was pumped from the river into the pipes,
25 might cause corrosion?

1 Burton - cross

2 A. We had a concern but the evidence
3 indicated that the dilution was so great that
4 nothing was happening.

5 Q. The next paragraph references
6 discarding approximately 400 tons of 2,4-D per
7 year. Where was that discarded? How was that
8 discarded and where did it wind up?

9 A. This would be discarded as a liquid
10 effluent from the 2,4-D building.

11 Q. Into the river?

12 A. Until the time when we put in the
13 connection to the discharge in the sewer.

14 Q. What would be the gallon equivalent of
15 400 tons of 2,4-D?

16 A. It's hard to estimate, but I would say
17 it might be on the order of one to two million
18 gallons a year, even though it would be present in
19 a diluted form in the water solution.

20 Q. I'm sorry, so if the tonnage were
21 undiluted, would it be one to two million gallons?

22 A. No, if it were undiluted, this
23 material weighs about -- I think about 12 pounds
24 per gallon.

25 Q. So when mixed with water, it would be

1 Burton - cross

2 one to two million gallons?

3 A. Yes, thinking of the normal amount of
4 water that would be associated with it.

5 Q. As an effluent?

6 A. Yes.

7 Q. And up until 1956, that was just
8 discharged into the Passaic River?

9 A. Right.

10 Q. And subsequent thereto, it was
11 discharged into the sewer system?

12 A. Right.

13 Q. The next paragraph references the
14 disposal of acidic effluent from our Chloral
15 sulfuration operation. Is that correct?

16 A. Right.

17 Q. To either the city sewer or the
18 river. I assume this referred to the time period
19 in 1960?

20 A. I didn't hear the last part.

21 Q. I'm sorry. Did this refer to the time
22 period of 1960, the disposal of this particular
23 effluent?

24 A. Yes. This went on up until the plant
25 was shut down.

1 Burton - cross

2 Q. What would determine whether it would
3 go to the sewer or to the river?

4 A. Actually, this point surprises me and
5 maybe contradicts something I said once before in
6 some previous testimony today, that I did not
7 think we had a connection from the main building
8 to the city sewer but I wasn't sure. When I said
9 it would go either to the city sewer or the river,
10 this indicates that we did have such a connection,
11 which I don't remember, but at this time, I would
12 have certainly remembered about it.

13 Q. This was --

14 A. So we probably --

15 Q. This was an effluent from the main
16 building?

17 A. Yes. So we probably had a pipeline
18 that sometimes we pumped effluent from the main
19 building over to the 2,4-D building and in turn
20 into the city sewer.

21 Q. But otherwise, you just pumped it into
22 the river?

23 A. Otherwise, it would flow by gravity
24 into the river.

25 Q. When would you do one rather than the

1 Burton - cross

2 other?

3 A. I don't know. The river was so
4 convenient to the main building and we were
5 already putting in lots of acid contaminations
6 that I am surprised at reading this that we had
7 apparently took the trouble to pump it over to the
8 2,4-D sewer. At this point, I can't understand
9 why we would do it.

10 Q. Because the river was more convenient?

11 A. Right.

12 Q. The next paragraph references the
13 2,4,5-T effluent and you say that it is only
14 approximately one quarter of the amount. What do
15 you mean by that, do you mean the amount that was
16 dumped, disposed of?

17 A. Yes. At this point in time, it seems
18 to me to be less than a quarter.

19 Q. What would that be in terms of
20 gallons?

21 A. I don't know. I hate to answer the
22 question on either the gallons of the 2,4-D
23 effluent or 2,4,5-T effluent because to give an
24 answer really meaningful, I would have to do quite
25 a bit of speculation and calculation.

1 Burton - cross

2 Q. I appreciate that, but could you just
3 give me your best estimate?

4 A. To use the numbers here, I said the
5 equivalent of 400 tons of 2,4-D, so now, based on
6 my memo, we are now talking about, apparently, 100
7 tons of 2,4,5-T waste, which is 200,000 pounds,
8 and this might be on the order as much as -- as
9 low as a tenth of a pound per gallon, so we might
10 be talking anything from half a million gallons to
11 two million gallons a year.

12 Q. That was discharged on an annual
13 basis?

14 A. Yes.

15 Q. The next paragraph states that "the
16 above represents the major contaminants, although
17 at various times, we have spills or special
18 products which involve additional contamination
19 problems."

20 At this time, you considered the
21 foregoing effluents to be major contaminants. Is
22 that correct?

23 A. Right.

24 Q. Do you have any recollection of what
25 the spills or special products were which involved

1 Burton - cross

2 additional contamination problems?

3 A. At times, we were making what Diamond
4 called specialty chemicals, which were -- we were
5 trying to get into the market on some other
6 products and since we were basically involved in
7 chlorination or chlorosulfonation operations, most
8 of those would be acidic by-products. At this
9 point, I don't remember the specific names of any
10 of these chemicals but that would be the type of
11 thing that would be involved in the special
12 products.

13 Q. How would those special products
14 involve additional contamination? How would those
15 special products involve additional contamination
16 problems?

17 A. There were very, very few chemicals
18 that I can think of that you make without
19 involving some effluents that have some degree of
20 contamination, either the product itself or a
21 by-product. So as a general principle, unless you
22 take steps to clean up the effluent, you are going
23 to have contaminated effluent. Without even
24 remembering the specific products, I can state
25 that as a general truth.

1 Burton - cross

2 Q. You also reference spills. Were these
3 spills that occurred during the ordinary operation
4 of the plant?

5 A. I don't remember any particular spills
6 but in a plant where you have a hundred tanks and
7 a lot of operations going on, there is bound to be
8 one now and then. I don't remember at any time
9 any specific spill.

10 Q. These would just occur as a general
11 practice of operations. Is that correct?

12 MR. COX: These spills he doesn't
13 remember, yes.

14 MR. SHEFT: Thank you, Mr. Cox.

15 Q. As a general practice, spills did
16 occur as part of the general operation, spills or
17 leaks?

18 A. It's recognized as something that is
19 apt to happen. It perhaps most commonly happens
20 when an operator gets careless and pumps more
21 material into a tank than the tank will hold and
22 something spills out the top and before he sees
23 it, you have a spill because some of that material
24 has gone onto the floor or wherever it is, or it
25 can happen -- another common way of it happening,

1 Burton - cross

2 if a pipeline develops a leak and an operator is
3 pumping material from one tank to another and a
4 leak appears in the pipeline and before he sees
5 it, some material has spilled onto the floor.

6 Q. Thank you.

7 Your last paragraph says, "My
8 suggestion on handling the muriatic effluent is to
9 try to get sales to make commitments whereby we
10 sell it all even at a lower price." Was that
11 statement made by you in order to prevent the
12 further dumping of acid into the river?

13 A. Yes. This was always sort of a
14 perpetual argument between myself as production
15 versus the sales department. I wanted to sell at
16 any price and they resisted because that would
17 break the market price and cause a price war.

18 Q. So in other words, for pricing
19 strategy, it would be more effective sometimes to
20 dump it in the river rather than to sell it. Is
21 that correct?

22 A. Right.

23 Q. The last sentence says, "If we have to
24 improve our phenolic effluent handling, my
25 suggestion would be to segregate and separately

1 Burton - cross

2 discard the concentrated phenolic effluent and to
3 blend, neutralize and discard to the city sewer
4 the remainder of the phenolic effluent."

5 Could you explain that to me, please?

6 A. This phenolic effluent, the
7 chlorophenols are basically toxic materials in
8 terms of skin contamination or ingestion and have
9 a very bad smell, to boot. So in all ways, they
10 are bad chemicals.

11 But it is -- it would be possible to
12 do what I said here, to take this 400 -- the
13 molecular weight equivalent of 400 tons of 2,4-D
14 would be maybe 350 tons of dichlorophenol. It
15 would be possible to separate that out as 400 tons
16 at -- I forgot, around maybe 12 pounds per gallon,
17 and have that limited number of gallons to be
18 disposed of.

19 Q. Why was that not done? Was that ever
20 done, to the best of your recollection?

21 A. No. Incidentally, it was a common
22 problem with most 2,4-D producers.

23 Q. How do you mean?

24 A. Thompson Chemical, for example, their
25 effluent all ran into the Mississippi and

1 Burton - cross

2 eventually, they were closed down because of
3 that. Hercules ran into -- I forget the name of
4 the river they were on. Dow, to the best of my
5 knowledge, treated theirs and I think Monsanto
6 did, too. But I just want to make the point that
7 this was a common problem in making 2,4-D.

8 Q. But it was something that could be
9 remedied. Is that correct?

10 A. Yes.

11 Q. And it wasn't done?

12 A. It was always a question of economics
13 and we did make sometimes some estimates of the
14 cost of doing this operation differently, which
15 would at least reduce the volume of these, but the
16 estimated cost always appeared too high to be
17 economically justified.

18 Q. So in other words, it was just easier
19 to dispose of it in the sewer or the river?

20 A. Right.

21 MR. SHEFT: Do you want to adjourn
22 until the third? Thank you, Mr. Burton.

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23

C E R T I F I C A T E

I, GARY M. TALPINS, a Notary Public and Certified Shorthand Reporter of the State of New Jersey, do hereby certify that prior to the commencement of the examination, JOHN BURTON was duly sworn by me to testify the truth, the whole truth and nothing but the truth.

I DO FURTHER CERTIFY that the foregoing is a true and accurate transcript of the testimony as taken stenographically by and before me at the time, place and on the date hereinbefore set forth, to the best of my ability.

I DO FURTHER CERTIFY that I am neither a relative nor employee nor attorney nor counsel of any of the parties to this action, and that I am neither a relative nor employee of such attorney or counsel, and that I am not financially interested in the action.



Gary M. Talpins, C.S.R.
License No. XI00561

TOT page 5

Contract

Summary

Presman		D	74
SURC		DET	71, 72
TJR, Thompson		DET	68
United States	6-2-69	TCP	67, 68
Thompson - Montrose - TX	Jan 67	DET	65, 67
TH	Mar 60	TCP	21
Spoo	Sept 61	D	61, 2, 3
Presman	Sept 63	D	63, 4, 5, 7, 7
Hoffman	Aug 67	TCP	67
Storford	June 68	TCO	68, 9
T-H contract	Sept 67	DET	67, 68
Mabel "	Feb 68	TCP	68
Montrose	Sept 75	D	75
Green			21, 23
Henry			<u>73, 75, 76</u>

77 - 6-2-69

BURTON - 1 ID
3/18/87

1959
 458
 243
 2000 - 2000
 2000 - 2000
 2000 - 2000

1951
 452
 2000 - 2000
 2000 - 2000

3
 2000 - 2000
 2000 - 2000
 2000 - 2000
 2000 - 2000
 2000 - 2000

5
 2000 - 2000
 2000 - 2000
 2000 - 2000
 2000 - 2000
 2000 - 2000
 2000 - 2000

1956
 2000 - 2000
 2000 - 2000
 2000 - 2000
 2000 - 2000

1951
 2000 - 2000
 2000 - 2000

August 8, 1957

Mr. John Brew
Hoffman Laboratories
100 East First Avenue
Roselle, New Jersey 07068

Dear Jack:

This is to confirm the important points I brought up yesterday at the meeting in Springfield regarding safety in the manufacture of 2,4,5-trichlorophenol.

There are two main problems. An impurity often formed in the manufacture of TCF causes chloracne among exposed workers. Also there have been about five serious accidents when excess pressure developed in TCF autoclaves causing rupture of the autoclave or its connection.

The chloracne is believed to be 2,3,7,8-tetrachlorodibenzo-p-dioxin. I have been indirectly told that Boehringer and Badische have identified this as the chloracne; this matches the information you received from Dow. Badische reported that injection of 5 micrograms per kg. of body weight is lethal to rabbits. I suggest you use your gas chromatograph to check for the presence of this in samples of your laboratory product, including samples of batches from the autoclave, and samples of the same batches after purification. I am quite certain that the chloracne can be formed both in the autoclave and in processing the autoclave batches. Because of the extreme toxicity of this chemical, even laboratory work must be done with extreme caution.

Badische reported that the above compound is formed by the reaction of 2 moles of NaTCF, splitting off 2 moles of NaCl. Boehringer also reported the above compound was the culprit. Both Boehringer and Dow had previously reported that chlorinated diphenyl ethers having 3 or 4 Cl atoms were chloracnegenic, with Boehringer's data indicating that the dioxine was 10 times as reactive as the diphenyl ether.

Information that I have received from several sources indicates that 160°C is the maximum temperature in the autoclave to avoid formation of the chloracne there. I feel strongly that you should drop your proposed 170-180°C autoclave

BURTON-2 10

3/18/87

Mr. John Drew

- 2 -

temperature to 160[°] to avoid undue lengthening of the autoclave time. I suggest you try increasing the NaOH to 2.5-3.0 mol. NaOH per mol of tetra. I believe the increased NaOH does not promote chloracne formation.

I am not certain about the effect of varying the quantity of methanol. In general, there is some evidence favoring the use of generous amounts of methanol. I mentioned one figure that was given me by a manufacturer with a good safety record in TCP, they suggested a minimum of 1 gal. methanol per 7.2 lbs. of solids. Unless you develop further information on this point, I suggest you use this ratio. It would be helpful to run some lab experiments with the solid./methanol ratio as the only variable to determine how the amount of methanol affects the reaction rate and the autoclave pressure. To me, it seems likely that with your continuous feed of tetra, a high methanol ratio may slow up the reaction appreciably and, in terms of safety, the importance of methanol in excess as a diluent is diminished in the continuous feed method.

Although I have had no experience with it myself, continuous feed of tetra seems highly desirable as a general principle in order to diminish the chances of a runaway reaction. Also, as we have both been informed, Monsanto has had successful experience with it. On a plant scale, the continuous feed of one reactant also eliminates the delicate and risky problem of heating up the batch to the operating temperature without initially overshooting the desired temperature because of the exothermic reaction.

I can't say that your tentative plans to strip off the methanol, rectify it and strip off the anisole all in one combined operation would not work. However, unless you have time to work out the details thoroughly on a lab scale, I think it is safer to do these three operations in three separate steps.

First I would distill off most of the methanol at atmospheric pressure without rectification. Then I would add some water and distill off most of the remaining methanol. It may be desirable from a practical viewpoint to save this last water-methanol solution and recycle it to the next batch at this same point so as to decrease the amount of water in the methanol to be rectified. From a safety viewpoint the objectives are to keep the temperature at 110[°] max. and to avoid letting the slurry get so thick that you would have any local overheating of the solids due to poor mixing. You may find it more practical to leave some methanol in and let it be lost in the next step.

The wet methanol - probably 80-85% - would then be rectified in a separate rectification unit. The aqueous bottoms from this could be fed to the anisole tripper or used as part of the water addition to the methanol still.

Mr. John Drew

- 3 -

After removing all or most of the methanol, add water (presumably recycled from the anisole receiver or methanol rectifier) to dilute the batch to 35-40 TCP. Distill off a water-anisole mixture, adding more water as needed to maintain a maximum of 40% strength in the still pot, until no more anisole appears in the distillate. This condenser will have to be kept warm to prevent the trichloranisole from freezing and I expect most any methanol present will go out the condenser vent. The maximum safe temperature in this still pot is also reported as 110°, although 120° may be safe. It will probably be necessary to do this anisole stripping under some vacuum in order to limit the pot temperature.

In both the methanol still and in the anisole stripper, be careful about having the jacket or coils extend above the liquid surface so as to avoid overheating and drying out of liquid splashes.

The recovered anisole can be recycled to the tetra feed tank or direct to the autoclave for subsequent batches. I think it would be better to charge uniform amounts to each autoclave batch. If any chloracnogens are present in the anisole stripper, they will probably be steam stripped out and be in the recovered anisole. Therefore, (contrary to what we decided yesterday) I think it might be better to put the anisole directly into the autoclave instead of drying it, heating it and putting it in the tetra feed tank.

The recovered anisole will be a good point for routine analysis for the presence of 2, 3, 7, 8-tetrachlorodibenzo-p-dioxin for which you have the gas chromatograph procedure. If any of the chloracnogen is found here, then samples of the batches from the autoclave and from the methanol still should be checked to locate the source of trouble.

The product from the anisole stripper should have the insoluble salt filtered from it, then diluted to the shipping strength and stored for shipment. The presence of dissolved salt is no handicap when making 2,4,5-T acid from TCP, but it is a nuisance if it settles out and plugs lines and if there should be any chloracnogens present, clearing out such plugs is apt to be a hazard if done carelessly.

Most of my information on the safety problem in TCP manufacture was acquired directly and indirectly from Monsanto, Pittsburg Coke and Chemical, C. H. Boehringer and Badische and of course my own experience at Kolker Chemical and Diamond Alkali Co. However, after comparing all of this data, I can offer it only as the best advice I have to offer, prior to actual operation

Mr. John Drew

- 4 -

of your plant I assume you will duplicate the proposed operation as closely as possible in the lab and will verify by gas chromatographic analysis that your process will avoid formation of chloracnegens. Gas chromatography was not available when I was involved in this work. By rabbit ear tests, my results generally confirmed the data I received from Germany, but there were occasionally contradictory test results which I had to assume were errors in the rabbit ear test itself.

I have heard recently that Diamond has found the tetrachlor dioxin in at least some of their product and plan to remove it with activated carbon. It seems to me it would be well for you to check this in your lab and be set up in the plant to use this treatment when and if needed, although I am quite hopeful that you will be able to avoid its formation. From various rumors I hear about the sloppy Diamond operation, I am not surprised that they have it present.

Best regards,

John Burton

JE/is

CC: Mr. J. Rundell

095-C-10

Mr. E. E. Weiner

April 4, 1960

River Contaminants, and
Your Memo of March 31st

Mr. J. Burton

W

CONFIDENTIAL

Mr. E. Grinnett
L. P. Snowville
R. C. Bitter

C
O
P
Y

The complete story of our various effluents and our disposal history is pretty long and complicated, but I will give you a rough outline below as a starter. To get more accurate measurement and composition data on these, will require some time and engineering and lab work. Please keep us posted as to the amount of this which you may wish to do.

Until approximately 1956, we disposed of all our plant effluents into the Pacific River. Approximately 1956, the Panama Valley Sewage Commission (PVSC) officially objected to our polluting the river, and we spent approximately \$15,000 for a sewer connection to the Newark city sewer. Since that time, we run some of our effluents to each of these outlets. The ruling on the Panama River is that no materials should be put in it which are not as pure as the river itself. PVSC does the spot inspection approximately once a month. The New York Harbor Commission also was interested in keeping it free from strong acids as a safeguard to shipping. I believe the Coast Guard is the official inspecting agency on this. Approximately once a year we get a very fast check by them, looking for acids only. The Panama River is seriously contaminated at present by other industries, particularly in regard to oil effluents. In the past year or so, PVSC has apparently been making more efforts to clean these up.

As I recall, the official rules by the Lower Department of Newark are that no material be put into the city sewer unless it is neutral and pretty free of chemicals. We checked our particular Lister Avenue branch before we connected to it, however, and found a variety of acids and chemicals which were actually being put in it. I believe this is fairly common throughout Newark, although I have had the feeling that we might at some time have to separate out or neutralize our strong acids and perhaps the bulk of our phenolic materials. My impression is that the city sewer is a pretty good bet for getting rid of modest amounts of chlorophenols which otherwise would be serious contaminants in rivers, etc.

BURTON - 3 12

3/18/87 Z

CONFIDENTIAL

250264

All of our unused tartaric acid is dumped in the Passaic. The Sales-Production-Inventory schedule will show our current figures on this. In 1958 we dumped 2000 tons; in 1959 we dumped 4000 tons. Incidentally, this may seem like a large amount, but the flow in this river is very large and we get no apparent corrosion in our cooling water system even though our river water pump intakes are located between our points of dumping tartaric acid.

C
O
P
Y

We produce approximately 3000 tons of 2,4-D per year with a yield slightly less than 60%. This means that we discard approximately the molecular weight equivalent of 400 tons of 2,4-D per year. I would guess that at least three quarters of this is in the form of 2,6-dichlorophenol, 2,4-dichlorophenol, and 2,4,6-trichlorophenol. Considering molecular weights, this would be approximately 110 tons of chlorophenols. I would guess we lose 50 tons of 2,4-D acid, 2,4-D sodium salt and 2,4-D esters. The pH and concentration of these different streams varies. If we were to undertake an effluent treatment system, we could concentrate most of the chlorophenol effluent to a relatively small volume.

We discard the acidic effluent from our chlorosulfonation operations to either the city sewer or the river. This effluent contains dilute sulfuric acid and/or dilute tartaric acid together with small quantities of sulfuryl chlorides, etc. I do not have even good guesses as to the quantities of this, but it is much smaller in acid content than the tartaric acid, and much smaller in organic content than the 2,4-D effluent.

The 2,4,5-T effluent is generally similar to 2,4-D, but is only approximately one quarter the amount. The effluent would consist of mostly trichlorophenols with some 2,4,5-T acid and 2,4,5-T esters.

The above represents the major contaminants, although at various times we have spills or special products which involve additional contamination problems. The "unimportant violations" are minor quantities of slightly dirty liquids which we sometimes get from washing down the floors near the river or the river front. I call them "unimportant" because overzealous inspectors sometimes comment on slight signs of poor housekeeping at the river front even though it is pretty obvious spills from them would be cleaner than the often dirty-looking river itself.

My suggestion on handling the tartaric effluent is to try to get Sales to make commitments whereby we sell it all even at a lower price. If we have to improve our phenolic effluent handling, my suggestion would be to segregate and separately discard the concentrated phenolic effluent, and to blend, neutralize and discard to the city sewer the remainder of the phenolic effluent.

100
J. Aron

CONFIDENTIAL

25-2-55

Diamond Alkali Company

INTER OFFICE CORRESPONDENCE

October 12, 1959

Mr. P. J. Eshley

Mr. J. Burton

SUBJECT

W. J. Eshley

cc: Mr. R. A. Giddi

Thornton Hilder visited the Boringer plant recently and checked on their experience with chloroacene. They had had a serious problem, and had done extensive checking on the problem.

They recommend:

1. Reaction temperature should not exceed 170°C. max.
2. Methanol distillation should not exceed 110°C. They strip off the last bit of methanol with steam.
3. The steam distillation should not exceed 110°C. max.

All of the above roughly checks our own tests and confirms the importance of careful control on these temperatures.

Burton


CONFIDENTIAL

BURTON-4 1D

3/18/87

GA



July 6, 1955

Mr. J. J. Barton - Newark

CONFIDENTIAL

I have just received and read Dr. York's report on his visit to your plant on June 23. I have also reviewed Bert Ingley's file in connection with Mr. Remmer which contains the letter correspondence as well as letters from Dr. James.

I am very much concerned about this whole problem as I am sure you are. I feel that it is something we can not allow to continue, and we must take positive action as rapidly as we can. Since I am leaving for a vacation the end of this week and I do not feel that action on this matter should be put off, I am requesting Bruce Gleisner to work closely with you in arriving at some plan of action. My suggestions to you at the moment would be for action something along the following lines:

1. Discontinue Mr. Remmer's employment. (This, however, is not to be done until cleared with Don Carmichael.) In connection with this, we should, of course, be fair to Mr. Remmer, and if he is able, find him another job where he would not be subjected to chlorinated products, preferably arranging a transfer to another Diamond plant such as Kearny or Jersey City.
2. Get the Industrial Hygiene Foundation in to survey our plant. This work by them should be done on as quiet a basis as possible without any fanfare so that the men at the plant will not become unduly alarmed. I would assume that we would wish them to do analytical work on the atmosphere at various locations in the plant, survey the bad actors as far as our chemicals are concerned, and make recommendations to us for improved ventilation and/or changes to equipment which would remove the cause of the trouble.
3. Obtain all possible information from other companies such as Monsanto or others handling chlorinated phenols.
4. After we have received their report and find that we can take action to remove the cause of the trouble, it may well be that we will wish to refer a case to Dr. Salsberger as recommended by Dr. York.

The above are merely my thoughts at this time in connection with a line of action. We would, of course, wish to have you head up any work to be done and would want to outline a program that had your full concurrence. It is for this reason that I have urged Dr. Gleisner and Bert Ingley to visit your plant and go into this problem with you in detail at the earliest possible opportunity.

BURTON - 5 ID
3/18/57 S

CONFIDENTIAL

L. P. SCOVILLE

LPS:nd

202555

MAXUS1069020

10



TO <u>Mr. Jefferys</u> <u>SEPT</u>	
ADVISE ACTION	FOR YOUR INFORMATION
FOR PROCESSING	RETURN
MEMO	
<p>We requested Mr. E. Zilocchi, the second employee of Melos Electric to present himself for examination, but he did not appear at either first or second request. We are covered by "hold harmless" clause on purchase order, but wanted him examined.</p> <p style="text-align: right;">Jean Padelsky, Secy to J. Burton & R. Guidi</p>	
FROM _____	
DAGO 168	

BURTON-6 ID
 3/18/87
 SA



202508

SS 77 7

Diamond Alkali Company

INTER-OFFICE CORRESPONDENCE

DATE 3/25/65

TO JOHN COET, JR. ✓

FROM E. L. CHANDLER


SUBJECT: CHLOROACNE - DOW MEETING

REPLY REQUESTED BY (DATE)

cc: F. R. Kennedy - Mgr., Newark plant
 J. O. King
 M. F. Wilkerson

On March 24, Mike Kennedy and I met with two people from Hooker Chemical Co., two from Hercules, and with the Dow group to discuss the toxicological impurities associated with 2,4,5 trichlorophenol and related materials.

Dr. Rowe of Dow Chemical opened the meeting by stating that they had operated for 25 years without trouble; but, in the last year, they had 60 to 70 cases of chloroacne. Ten of these were moderate, and five to ten were extremely severe. Their approach was a qualitative one at first. They wanted to find the causative material, learn how to identify it, and try to avoid continual troubles with the unknown. They tested various materials from tar fractions and from, as they put it, "gunk", etc. They found that there are a number of suspect materials, probably 26 or 27; but the major "bad actor" that they identified and which seemed to consistently cause the problem was 2,3,7,8-Tetrachlorodibenzo p-Dioxin (symmetrical



This incidentally was previously listed as a suspect material by Cy Perkins of our company. A similar material is the unsymmetrical 1,3,7,8-TCDD, also sometimes listed as 2,3,7,9-TCDD.

The Dow people used the white glove approach and found this contaminant on tool handles, benches, instruments, and other fomites. In test animals, they could consistently cause the symptoms to appear. Dr. Holder of Dow, one of their medical doctors, had excellent color slides of the various patients. The difficulty starts with multiple blackheads resulting in closed cystic structures which make the patient look like he needs to wash his face. The disease develops slowly, not appearing until six weeks to two months after mild exposure but appearing in five to seven days with very heavy exposure.

One bench chemist has been under treatment for two years and his face is starting to show signs of clearing. Dr. Holder says that he believes this man's problems will be solved in another six months. Dr. Sadak, who does their microscopic work in connection with their animal laboratory, showed photo micrographs of the cysts as they formed in the ears of rabbits. The cysts correlated with those found on the faces of the men.

BURTON - 7. 1D
 3/18/87

CONFIDENTIAL

255697
 200635
 MAXUS1069022

March 25, 1965

Basically, there is a carotinoid deposit in the hair follicles and oil ducts in the face. These eventually go from the blackhead stage to form a closed, heavy core deposit. The chemical cannot be found in the facial tissues or in the cores, but the problem still persists after exposure. The best description of the acute stage is that the facial tissues resemble the exaggerated surface texture of an orange rather glazed and marbled with the enclosed hard core deposits.

A secondary symptom, which does not correlate directly with the amount of facial dermatitis, is a fatigue reaction where the employee is completely listless, tired out, and nearly incapacitated. A complete biopsy of liver, kidney, etc., show no degeneration of major organs. A complete clinical examination of the patients showed no measurable affect on heart, blood pressure, respiration rate, blood sugar, etc. The fatigued patients seemed to be helped by heavy doses of vitamins, perhaps related in some way to the carotene metabolism of the body (involving vitamin A, etc

An oral dose of 17 micrograms immediately killed the test animals. The Dow people did not lower this dosage to obtain an LD₅₀ but decided that, when they can detect this compound, it should not be in the product. They found that, after exposure to the material, washing within 15 minutes did not help a great deal, but did slow down the speed at which the symptoms appeared. Washing after one hour was of absolutely no help whatsoever in reducing total dermatitis or speed of appearance of the reaction. Moderate scrubbing with detergent does not remove this material. Extremely hard scrubbing can accomplish the task or the use of solvents, such as 1,1,1-trichloroethane.

Dow has developed a new analytical method in which they have confidence in their sensitivity to 1 ppm. They can only state in levels below this that some may be present below the 1 ppm. They stated that they have not used micro-colorimetric methods, and the electron capture tests that they ran made only a very slight improvement in sensitivity with this compound. Their analytical chemist stated that the electron cell saturates because of the presence of other materials in high concentration compared to the dioxin.

This material has some strange properties. It has a fairly high vapor pressure but nevertheless is quite persistent as a contaminant. It can be separated from benzene by boiling if it is not carried down to dryness. The Dow people are extremely careful in all of their work with this compound. They use PVC throw-away gloves, and all samples are burned in a special furnace which operates at 800°F. These samples are sealed before going to the burner. They use bioassay methods on rabbits for qualitative checking only.

The Dow people state that they intend to set a limit of zero with sensitivity of plus or minus 1 ppm on this material. They have analyzed materials from other companies, including our company, and have found amounts as high as 10 ppm in 2,4,5-T acid and 20 to 30 ppm in phenates.

They have made a single application to the ears of test rabbits and found that 20 ppm will not give folliculitis. Forty ppm does give a slight affect, and 100 ppm is severe. They have made repeat applications of from 10 to 100 ppb, and 25 of these treatments do not cause a response; however, 1000 ppb (1 ppm) gives a slight response with nine applications and a severe reaction with 11 applications.

They conclude, therefore, that 1 ppm with repeat applications can create a real problem.

Dow's people outlined a method for extracting and running samples on 2,4,5-T; 2,4,5-TP; and phenols. It involves a chloroform extraction, followed by

CONFIDENTIAL200636
MAXUS1069023

March 25, 1965

a caustic wash, and a reduction by boiling to one-tenth the volume before putting it in the chromatograph. They have given the gas-liquid chromatography method to us, along with analytical-grade dioxin material. Mike Kennedy has these materials and intends to pursue the laboratory work necessary to ascertain where and how much, if any, of this dioxin appears in our 2,4,5-T process.

The purpose of this meeting was obviously designed to help us solve this problem before outsiders confuse the issue and cause us no end of grief. Dow is sending the test results on our material to us, incidentally; and this will further check our technique, etc.

Sincerely,



E. L. Chandler

ELC:sem

CONFIDENTIAL253499
200637
MAXUS1069024

DATE: April 12, 1960

TO: Mr. J. A. Boyer

FROM: Mr. J. Burton

SUBJECT: Meeting at Ferrara with
Mr. J. A. Boyer and
and Your Report of 4/5/60

cc: R. A. Guida
W. J. Lightfoot
R. F. Tschopp
J. C. Tins
C. H. Connerly
D. P. Scoville
D. C. Boyer
H. S. Tins

Thank you for the detailed report on this morning. Based on my previous visits at Monsanto, I am attaching my comments on various items in this report.

On page 4, it appears that they are assuming the problem is primarily on their batch #117 which blew a rupture disc. I am not sure of it in the report, but I wonder if this does not indicate that there was a substantial shortage of methanol in the batch so that the normal operating vapor pressure did not develop. In turn, the absence of methanol could possibly have caused the abnormal reaction as I discussed in a separate memo to you.

Your report seems pretty specific that they did not have a rupture in their system at the time of their two bad batches. It probably is not too important, but this does contradict their direct statements to me which I checked again in my parallel notes, that at the time of their second bad batch they had a rupture in their vapor line. This contradicted the fact that through a question of the plant and their chemical problem came from their efforts to clean this up.

On Page 5, you mention that they use 3-1/2 moles of NaOH per mole mole of tetrahydrofuran. I believe this may be an error since they told me they used approximately 2.5 moles. Also, the 5% caustic in the end of the reaction would indicate approximately 2.5 moles. On the other hand, I have had a preliminary comment from the plant that the sodium TSP we are purchasing from them is an abnormal amount of caustic in it. If we should go to their type of continuous reaction, we should develop this point further.

The question of the best technique for a continuous operation is of primary importance. In my opinion, we should proceed with this.

CONFIDENTIAL

BURTON - 8 10
3/18/87

carefully with this. Even though they have operated other systems with no trouble, this is no assurance of success. I am to recall, I had operated the system with my own eyes to the fact that it appeared to be dead to even the extent of resistance that I had to the fact that, there are certain other problems which to mention in this report before we can go to a continuous operation.

This report indicates that they change all of the acoustic and mechanical and then continuously load in transducers. This check with what they told me but I am still somewhat puzzled as to why they do not get an essentially dead color from the time they have had large amounts of acoustic pressure during most of the operation. I had intended to try to develop this point further with them prior to the initial operation here. In spite of their apparently sincere attitude of cooperation, I prefer to think that this is a little bit of the certain parts of their processes and at least the amount of time that is in operation, should they see any more of the same type of operation, I am sure that they will be able to find out what is causing the excessive acoustic and I did not press it with them, since I thought I could find it out later under the right circumstances.

The fact of the matter is that I am not sure that I have been able to find out what is causing the excessive acoustic and I did not press it with them, since I thought I could find it out later under the right circumstances.

3:3

CONFIDENTIAL

Diamond Alkali Company

INTER-OFFICE CORRESPONDENCE

1954
MAY 11 1955

Mr. J. J. Drown

Mr. J. J. Drown

SUBJECT:

Chronological Listing of Process Development - 2,4,5-D

on Mr. J. E. Trocki

A chronological list of the process changes that have been implemented is attached.

Of particular interest is the series of failures of the reactor due on the large scale in March and April 1955 associated with the 32° low reading on the temperature reactor. This condition may have introduced excess amounts of chlorine protecting materials in the stock. The storage tanks were very rarely emptied in this period since it was a production season. The impurities would thus be added with annual material resulting in contamination of the solutions for several months coincidental with the major outbreak of chlorine cases in May-June of 1955.

Change of organic ratio was made based on results of Reininger Report No. 573. Graph of data is attached.

Following are some notes on the material contained in the reports:

1. Adjustment of the pH of sodium salt to 10.1 results in almost quantitative removal of aniline while stripping at higher pH results in retention of 15 aniline in the stock. Perhaps this pH adjustment would improve our product and assist in removal of other organic compounds during the steam distillation.

<u>DATE</u>	<u>DESCRIPTION</u>	<u>WLS</u> <u>W/S</u>	<u>WLS</u> <u>W/S</u>	<u>WLS</u> <u>W/S</u>	<u>TEMP.</u> <u>W/S</u>
	Bath report	1.0	2.50	2.50	
1st	Op's				
	Original plant operation	3.75	2.75	2.75	
1/2/52	Oper. Inst. rev. F. Burns	3.72	10.0	2.70	
1952-54	Oper. Inst. Large & small autoclaves	10.4	25.0	2.40	150-166
6/54	Oper. temp. raised to conform with Oper. Inst.	10.4	25.0	2.40	150
7/13/54	First batch steam stripped in plant (2-5) following lab. check in June by Schindler	10.4	25.0	2.40	150

Survey of pH autoclave batches from 12/22/53 to 6/7/54 indicated a plant practice of operating about 170°C. with some batches as low as 160°C. Operating instructions called for 160-166°C. on this temp. range was maintained from 6/54 to 9/54 having no other information.

Burns - 9 10
3/18/87 J

CONFIDENTIAL

201642

49032

<u>DATE</u>	<u>DESCRIPTION</u>	<u>1955</u>	<u>1956</u>	<u>1957</u>	<u>1958</u>
5/54	Caustic ratio changed from 2.4/1 to 2.7/1 temp. 170-180° Steam stripping all production in small autoclave-acidifying.	12.1	30	2.70	170-180
10/54	Phase 2 plant 2, 4, 5-6 batches made from direct sodium salt. 50% of sodium salt made by direct process.				
11/54	No production.				
12/54	All sodium salt by direct method.				
1/55	Changed to crystalline caustic replacing F.A.M.				
2/55	Reducer still used as steam stripper. Production increased by 1 large-1 small batch/shift.				
3/55	Pressure disc failed in large autoclave, at 452 psi. Temp. recorder found to be reading 32° low.				
4/55	Pressure disc failures. Large autoclave. 4/8 4/22 4/23. Standards on 2-1. Lowered from 1.25 to 1.20 due to high conversions.				
7/55	Start on 2-101-1-12] for process evaluation.				
7/29/55	Process changes to improve color/viscosity/inhibitor-caustic insoluble.				
	1. Autoclave temp. 175-180°C. batch to be held as close to 180°C. as possible but no higher.	12.1	30	2.70	175-180
	2. Wash dist. 120°C. max. (formerly 130°C.)				
	3. Steam dist. 120°C. max.				
	4. City water substituted for well water in dilutions.				
8/29/55	Lowered caustic ratio and temp. results of last batches.	12.1	30.2	2.5	170° max.
8/28/56	No major process changes to date.				
9/4/56	Caustic/2-1 ratio changed from 2.5/1 to 2.7/1	12.1	32.7	2.7	170° max.

CONFIDENTIAL

201643

3-7004