

SUPERIOR COURT OF NEW JERSEY
CHANCERY DIVISION - MORRIS COUNTY
DOCKET NO. C-3939-84

DIAMOND SHAMROCK CHEMICALS
COMPANY,

Plaintiff,

-v-

THE AETNA CASUALTY AND SURETY
COMPANY, et al,

.....

TRANSCRIPT OF PROCEEDINGS
(MORNING SESSION)

Morris County Courthouse
Morristown, New Jersey
Thursday, September 29, 1988

BEFORE: THE HONORABLE REGINALD STANTON, A.J.S.C.

TRANSCRIPT ORDERED BY: STEPHEN D. CUYLER, ESQUIRE

APPEARANCES:

MESSRS. PITNEY, HARDIN, KIPP & SZUCH
BY: DENNIS R. LAFIURA, ESQUIRE

-and-

MESSRS. CAHILL, GORDON & REINDEL
BY: WILLIAM E. HEGARTY, ESQUIRE
MICHAEL P. TIERNEY, ESQUIRE
RAYMOND L. FALLS, JR., ESQUIRE
LEONARD A. SPIVAK, ESQUIRE
MARSHALL COX, ESQUIRE
PETER F. LAKE, ESQUIRE
Admitted Pro Hac Vice
Attorneys for the Plaintiff

JOANNE N. YUHASZ, C.S.R.
OFFICIAL COURT REPORTER.
MORRIS COUNTY COURTHOUSE
MORRISTOWN, NEW JERSEY

APPEARANCES (Continued):

MESSRS. CUYLER & BURK
BY: STEPHEN D. CUYLER, ESQUIRE
Attorney for Defendant General Reinsurance
Group, et al.

MESSRS. SHEFT & SWEENEY
BY: DAVID HOLMES, ESQUIRE
Admitted Pro Hac Vice
Attorneys for Defendant Lloyd's and London
Market Companies

MESSRS. DAY, BERRY & HOWARD
BY: THOMAS J. GROARK, JR., ESQUIRE and
SCOTT P. MOSER, ESQUIRE
Admitted Pro Hac Vice
Attorneys for Defendant Aetna Casualty

MESSRS. MORGAN, MELHUSH, MONAGHAN, ARVIDSON,
ABRUTYN & LISOWSKI
BY: HENRY G. MORGAN, ESQUIRE and
STEFANO CALOGERO, ESQUIRE
Attorneys for Defendant Home Insurance Company

MESSRS. PHELAN, POPE & JOHN
BY: MARYANN C. HAYES, ESQUIRE
Admitted Pro Hac Vice
Attorneys for Defendant American Re-Insurance
Company and American Excess Insurance Company

MESSRS. MUDGE, ROSE, GUTHRIE, ALEXANDER & PERDON
BY: PAUL R. KOEPPF, ESQUIRE and
GEORGE A. PIERCE, ESQUIRE
Admitted Pro Hac Vice
Attorneys for Defendant Insurance Company of North
America, California Union Insurance Company and
Pacific Employer's Insurance Company

MESSRS. GARRITY, FITZPATRICK, GRAHAM, HAWKINS &
FAVETTA
BY: ANTONIO D. FAVETTA, ESQUIRE and
RUDOLPH G. MORABITO, ESQUIRE
Attorneys for Defendant American International Group

MESSRS. KUNZMAN, COLEY, YOSPIN & BERSTEIN
BY: STEVEN A. KUNZMAN, ESQUIRE
Attorneys for Defendant Firemen's Fund

MESSRS. BIVONA & COHEN
BY: K. THOMAS SHAHRIARI, ESQUIRE
Attorneys for Defendant Firemen's Fund

APPEARANCES (Continued):

MESSRS. GOLDEN, ROTHSCHILD, SPAGNOLA & DIFAZIO
BY: CHARLES W. MILLER, III, ESQUIRE
Attorneys for Defendant Royal Indemnity

MESSRS. BUMGARDNER, HARDIN & ELLIS
BY: MARK S. KUNDLA, ESQUIRE and
MARY ELLEN MYERS
Attorneys for Defendant U.S. Fire Insurance Company
and London Guarantee Insurance Company

MESSRS. WILSON, ELSER, MOSKOWITZ, EDELMAN &
DICKER
BY: THOMAS F. QUINN, ESQUIRE
Attorneys for Defendant Evanston Insurance Company

MESSRS. TOMPKINS, McGUIRE & WACHENFELD
BY: REX K. HARRIOTT, ESQUIRE
Attorneys for Defendant First State Insurance Company
and Hartford Accident and Indemnity Co.

MESSRS. FEUERSTEIN, SACHS, MAITLIN & FLEMING
BY: STEVEN L. ALBERTSON, ESQUIRE
Attorneys for Defendant Atlanta International
Insurance Company

MESSRS. GRIFFITH & BURR, P.C.
BY: JAMES W. CHRISTIE, ESQUIRE
Attorneys for Defendant Commercial Union
Insurance Company

INDEX

<u>Witness</u>	<u>Direct</u>	<u>Gross</u>	<u>Redirect</u>	<u>Recross</u>
DR. L. ANTHONY WOLFSKILL				
By Mr. Falis			118	
By Mr. Cuyler		2		
By Mr. Moser		33		
By Mr. Koepff		48		
By Mr. Calogero		54/116		
By Mr. Sannriari		92		
By Mr. Favetta		103		
By Mr. Quinn		112		
JOHN S. BACKER				
By Mr. Groark	122			

1 THE COURT: Please be seated, ladies and
2 gentlemen. Now, come back up, please, Dr.
3 Wolfskill, and, Mr. Cuyler, when you're set.
4 Dr. Wolfskill remains on the stand under oath.

5 MR. CUYLER: Your Honor, I've pondered
6 this out carefully and my questions will take
7 about 30 minutes, I cannot vouch for how long
8 the witness' answers will take.

9 THE COURT: Okay, let's see how we go
10 CROSS-EXAMINATION BY MR. CUYLER:

11 Q Doctor, you have before you, I believe,
12 the copy of your report that's been marked into
13 evidence. Is that correct?

14 A Yes.

15 Q All right, at the end of the report, there
16 are a series of diagrams, am I correct?

17 A In the appendix?

18 Q Yes.

19 A Yes.

20 Q I'm not talking about the ones in the
21 glassine envelopes that you prepared or your company or
22 someone prepared, I'm talking about what appear to be
23 some photocopies. Is that correct?

24 A Yes.

25 Q Can you tell the Court where you obtained

1 chese? I take it from Diamond Shamrock through Mr.
2 Steward?

3 A The base maps, yes.

4 Q All right, was there any information in
5 this compendium that you did not obtain from Diamond
6 Shamrock?

7 A Well, I obtained some of the information from
8 Gordon Steward who I believe is no longer an employee
9 of Diamond Shamrock.

10 Q But these diagrams were basically Diamond
11 Shamrock diagrams?

12 A That's correct.

13 Q Do you know what time these diagrams speak
14 to, the diagrams of the plant?

15 A Well, these are various drawings of the plant
16 layout at various times. The dates are generally on
17 each drawing as to when that drawing was produced.

18 Q All right, can you go through and, first
19 of all, were there any drawings that you saw that are
20 not produced -- produced, rather, in your appendix?

21 A Yes, there was a large selection of drawings and
22 I believe Mr. Steward participated in selecting the
23 ones that would illustrate his analysis of where dioxin
24 was.

25 Q All right. Now, when you said the date is

1 on the drawing, can you tell us where you're referring
2 to?

3 A Down in the margin under the section at the
4 bottom, there are usually signatures and dates, some of
5 which are legible and some of which are not legible on
6 these reproductions.

7 Q Whatever the dates say, did you understand
8 that these diagrams represented the plant as it existed
9 in the 1960s or the 1950s? In other words, before or
10 after the explosion?

11 A At the moment, I don't recollect if we had the
12 19 -- the pre-1960 drawings, I don't remember if
13 they're included in this or not. I know that Mr.
14 Steward indicated on here pre-1960 locations of
15 equipment.

16 Q All right, but your understanding then is
17 that while Mr. Steward may have indicated pre-1960
18 locations for the most part, these depict the plant --
19 with the exception of those notations -- depict the
20 structure of the plant following the 1960 explosion and
21 the reconstruction?

22 A Well, as I said, I don't recollect if maybe
23 there is a pre-1960 drawing in here, there may be.

24 Q Well, my question is this --

25 A I did not select the drawings.

1 Q -- if there is a pre-1960 drawing of the
2 section, for instance, of the plant that was not
3 reconstructed such as the L formulations building,
4 would that to your understanding reflect nonetheless
5 the layout and the condition and the layout of the
6 building as it existed during the 1960s?

7 A I think there is a pre-1960 drawing in here.
8 The last one that I'm looking at, I believe, is a 19 --
9 pre-1960 arrangement.

10 Q All right, and what is that? Oh, all
11 right, that's the old process building, right?

12 A That's the old process building.

13 Q So that certainly didn't exist in 1960?

14 A That's correct, this is a drawing prior to the
15 explosion.

16 Q Now, sir, you said that you had read 17
17 depositions, do you recall that testimony?

18 A Yes.

19 Q All right, that was for preparation of
20 your report P-363?

21 A I read Burton's deposition page-by-page --

22 Q No, my question is the 17 depositions you
23 reviewed, did you review them prior to the preparation
24 of your report P-363?

25 A Yes, I did review them prior.

1 Q Did you review any depositions after
2 preparation of P-363, any new depositions?

3 A Well, I think there was one by Mr. Smith that I
4 don't believe I had before preparation of the report.

5 Q Aside from Mr. Smith's deposition, have
6 you reviewed any new depositions within the last six
7 months?

8 A Well, I can't recollect exactly how many I had
9 before this report was presented. I had a large stack
10 of them at that time. I do remember that I got Mr.
11 Smith's deposition later, I may have gotten Dr.
12 McBurney's and there may have been a few others, but
13 there was a large stack of depositions available prior
14 to the report.

15 Q Have you reviewed any depositions in the
16 last six months that you had not reviewed at the time
17 of your preparation of P-363 that you consider are
18 material to your opinions?

19 A Well, I've reviewed Homer Smith, that's the one
20 I can't recollect for sure that I received after this
21 report. I think there may have been some others, but
22 I'm not sure whether they were there before or after.

23 Q Certainly nothing that stands out in your
24 mind today?

25 A That's correct.

1 Q Certainly nothing that's formed the basis
2 of your testimony in court either today or yesterday?

3 A Well, I think all of them have formed the basis
4 for my testimony in court this week.

5 Q Are you aware that in April of this year,
6 there were a number of depositions of prior plant
7 employees taken?

8 A Well, I don't recollect, again, the dates --

9 Q Good enough.

10 A -- that were on the depositions.

11 Q But nothing there rings a bell? For
12 instance, if I were to tell you there were such
13 depositions, could you tell me the names of any of the
14 individuals deposed?

15 A Well, I think if you told me the names of the
16 ones that were taken after the report, I could probably
17 recognize that those were depositions that I have read.

18 Q But there's nothing -- you can't tell me
19 that there's any -- what I'm trying to find out is did
20 you read any depositions taken since April, and if so,
21 which ones and did they tell you anything significant
22 about the operation of this plant during the '50s or
23 the '60s?

24 A I cannot tell you which ones I have read since
25 the report was written, but I can tell you that all or

1 the ones that I have read, all 17 that I've read, do
2 not change my conclusions.

3 Q All right, fine. Now, you've indicated
4 that you read Mr. Burton's rather carefully and
5 closely. Is that correct?

6 A Yes.

7 Q And you had two days of depositions, two
8 volumes of depositions, correct?

9 A Yes.

10 Q You found his material, his deposition, to
11 be informative, I take it, on plant operations?

12 A I accepted them as informative.

13 Q Okay.

14 A They were certainly in detail.

15 Q All right, and you found his information
16 to be material to your analysis?

17 A Yes.

18 Q All right, and you found his information
19 to be relevant to your analysis. Is that correct?

20 A Yes.

21 Q All right, of the depositions you read,
22 did you find anybody to have information more material,
23 more relevant than that provided by Mr. Burton in terms
24 of the operations of this plant from the 1950s?

25 A Other depositions described activities, you

1 know, which were beyond the tenure of Burton, after he
2 left the plant, so that was new information not covered
3 in Burton's depositions.

4 Q That's why I asked the 1950s.

5 A I beg your pardon?

6 Q That's why I specifically asked in my
7 question during the 1950s.

8 A No, I didn't find anything that, you know, among
9 the depositions that covered the 1950s that to me was
10 significantly different that would affect the analysis
11 that I was making.

12 Q Were you consulted at all by the Canill
13 firm with regard to your opinion as to who might be an
14 appropriate fact witness to present to this court?

15 A I didn't catch one word, an important what?

16 Q A significant or an important fact witness
17 to present to this court?

18 A A fact -- I did ask that Gordon Steward be a
19 witness in this court --

20 Q No, sir, my question was were you
21 consulted with regard to that? Yes or no, and then
22 we'll go on to what you did after that.

23 A Well, I don't remember if they asked me or I
24 asked them that I would like Steward to testify --

25 Q Okay.

1 A -- about these events.

2 Q That's fine, but my question is did you
3 request Mr. Burton to testify?

4 A No.

5 Q All right, were you ever advised by the
6 Canill firm that they had interviewed Mr. Burton and,
7 in fact, were you provided -- if you were so
8 provided -- were you provided with any notes of that
9 interview?

10 A I don't recollect any notes of an interview.

11 Q You were never advised that Mr. Spivak and
12 Mr. Cox had interviewed Mr. Burton?

13 A Well, I may have been, but I don't recollect
14 seeing any notes or I don't recollect being advised of
15 that.

16 Q Very good. Sir, now you had testified
17 yesterday in response to some hypotheticals, I believe,
18 placed to you by Mr. Koepff and I'm not purporting to
19 quote you exactly, but to the effect that an analysis
20 of whether something constitutes an accident requires a
21 case-by-case analysis of all of the relevant facts. Do
22 you recall that?

23 MR. FALLS: May I have that question read
24 back.

25 THE COURT: Yes, would you read that back,

1 please, Miss Yunasz.

2 (Whereupon the last question is read
3 back by the Court Reporter.)

4 A I don't recall that we were trying to decide
5 whether something was an accident based on its relevant
6 facts, I think we were discussing what would be
7 environmental damage based on the facts of the
8 situation.

9 Q You don't recall saying that an accident
10 requires case-by-case analysis?

11 A Well, for the purpose of assessing environmental
12 damage, yes.

13 Q Well, no, for the purpose of determining
14 whether something is "an accident" or not?

15 A Perhaps we discussed something in that vein.

16 Q Perhaps?

17 A Well, I don't recollect exactly that he asked me
18 a question --

19 Q He gave you some hypotheticals. He said
20 assume you have a pump, assume it starts to leak,
21 assume a period of time goes by before it's repaired,
22 assume there's an accident, do you recall that
23 testimony?

24 A Yes.

25 Q And in response to that, you said I don't

1 know, well, I don't know, I don't have all the facts,
2 each incident requires a case-by-case analysis of all
3 the relevant cases. Isn't that correct?

4 A That's correct.

5 Q Okay, would you tell me one pump, one
6 particular pump, that leaked in the 1950s? Take any
7 one you want, give me all the relevant facts regarding
8 that individual leak and you can start by telling me
9 what pump it was and what day it started to leak.

10 A I have never made any analysis of any single
11 pump.

12 Q Because all those relevant facts simply
13 aren't available for analysis, isn't that correct, that
14 simple kind of detail simply isn't available?

15 MR. FALLS: Let him answer the question,
16 please.

17 THE COURT: Let Dr. Wolfskill finish.

18 A I believe there is information in the
19 depositions that talk about a particular device,
20 whether it was a pump or some other device that
21 actually leaked, they identified a particular leak.
22 Whether they gave enough details to conclusively
23 assess, I don't know.

24 Q All right.

25 A I mean I don't recollect that there was that

1 much detail in the deposition.

2 Q Fair enough, but what we can say is that
3 given this plant, given the general condition of the
4 plant as it was in 1950, given the general type of
5 operation that existed there in 1951, given the type of
6 equipment that was there and the type of manufacturing
7 process, we do know that from time to time, there were
8 going to be such leaks?

9 A That's correct.

10 Q Okay, and that's anticipated, that's
11 expected?

12 A They expected the equipment will leak, that's
13 right.

14 Q Sir, are you aware that chlorophenoxy
15 herbicides have an anti-bacterial effect?

16 A No, I'm not aware of that feature.

17 Q Now, sir, were you here when Commissioner
18 Catania testified?

19 A No.

20 Q You were not. Let me just tell you, sir,
21 his testimony was and if I've summarized this
22 incorrectly, I'm sure somebody will correct me, but
23 that basically any one of a number of the priority
24 pollutants that were found at the site would have
25 required a cleanup? Have I basically said that

1 correctly, gentlemen? I guess.

2 MR. FALLS: In connection with the sale of
3 the property.

4 MR. CUYLER: Yeah, under ECRA.

5 Q Are you in agreement with that?

6 A Well, I'm not sure that any -- I mean that every
7 single one found would require a cleanup under ECRA. I
8 have read Mr. Catania's, you know, the record, the
9 transcript --

10 Q Uh-hun.

11 A -- of his testimony and I'm not sure that he
12 said every -- if I understand your question right --

13 Q Let me simplify it.

14 MR. FALLS: Let him finish his answer.

15 Q Let me simplify it so we can save time.
16 Let me focus you in on DDT. Are you at least in
17 agreement with Mr. Catania that the DDT found on the
18 site would require cleanup?

19 MR. FALLS: Under ECRA.

20 Q Under ECRA.

21 A I actually would not have answered those
22 questions that were posed to Catania the same way
23 because I still believe that the State would be
24 required to show that what amount of chemical was there
25 would be harmful and require cleanup and the mere

1 presence, I don't believe, would require cleanup.

2 It, again, it's similar to the CERCLA process,
3 but it would require the State to determine that there
4 is a threat to public health in the environment due to
5 the presence of a chemical in some quantity.

6 Q Okay. Now, let me just ask you this
7 question in front of this Court which has to judge,
8 among other things, your credibility. Are you telling
9 this Court that you have doubts that DDT found in the
10 quantities found at Lister Avenue would require a
11 cleanup under ECRA?

12 A I could predict that it probably would require
13 cleanup given those amounts, but I don't think the mere
14 presence constitutes a requirement of a cleanup.

15 Q Now, sir, are you aware that DDT,
16 manufactured DDT, stopped in 1959 at this plant?

17 A I recollect that it stopped sometime in the
18 '50s, I'm not sure what date.

19 Q Okay, that information is set forth in the
20 record of decision which was put into evidence by
21 Plaintiffs, are you aware of that?

22 A Yes.

23 Q Sir, am I correct in saying, then, that
24 whatever DDT was found at this plant had to exist as of
25 the date that operation was abandoned, dismantled and

1 sent someplace else?

2 A I think that's correct, I don't know of any
3 other sources of DDT.

4 Q Okay, were you at all asked at any time to
5 do an analysis as to what portions of Alternative
6 Number 3 that was specified as a mode of cleanup in the
7 ROD would be required for the DDT and what were
8 required exclusively by dioxin, you were never asked to
9 do that analysis, were you?

10 A That's correct.

11 Q Okay, and it would be rather unfair of me
12 to ask you to do it off the cuff as you sit here today?

13 A Well, I have an opinion that because dioxin was
14 found at every place it was looked at at that site, it
15 would be a redundant exercise.

16 Q Okay. Now, sir, let me ask you, you
17 mentioned that your opinion with respect to I think,
18 on, Opinion Number 3 on the exhibit "Conclusions of Dr.
19 Wolfskill -- Dr. L. Anthony Wolfskill" was predicated
20 in part on retro-analyses you had done at various
21 pollution sites?

22 A Yes.

23 Q Okay, now many pollution sites were we
24 talking about there, give me the number?

25 A Well, I made a list one day of the ones that I

1 could recollect that we've had this retrospective
2 analysis and it came to 20 so I think the number is
3 about 20.

4 Q So in comparing the Lister Avenue plant to
5 your information you had by way of background, you
6 compared this Lister Avenue plant to 20 other polluted
7 plants that you've been to?

8 A That's correct.

9 Q Okay, and you found that the problems or
10 the conditions or the type of situation which gave rise
11 to the pollution at 80 Lister Avenue was very typical
12 of the same conditions which had existed during the
13 '50s and during the '60s at these other 20 polluted
14 sites. Is that correct?

15 A Some of the conditions were typical here. Some
16 of the other plants had different kinds of discharge
17 streams so they were not involved with the 80 Lister
18 plant.

19 Q Okay, fair enough. Now, if I understand
20 what you're saying in regard to your Opinion 1(a), I
21 had asked you before just a few minutes ago whether
22 given the condition, the nature of the operations, the
23 age of the plant, the 1951, whether you could
24 anticipate accidental escapes or organic compounds and
25 you indicated that, yes, you could.

1 I take it, and let me just clarify this,
2 that what you're saying could not be anticipated was
3 the enactment of CERCLA or that type of legislation?

4 A That's correct.

5 Q Which would mandate cleanup of those
6 conditions?

7 A That's correct.

8 Q Okay, I take it also what you're saying
9 from your review of the relevant regulatory laws and
10 statutes that basically there is stricter enforcement
11 today, stricter inspecting and reporting requirements
12 and that the government is basically devoting more
13 resources today to the enforcement of environmental
14 regulations than it was during the '50s certainly and
15 to some extent during the '60s?

16 A That's correct.

17 Q Okay, would you agree with me, sir, that
18 today it's virtually impossible for a chemical
19 manufacturer, indeed, for anyone to play a kind of cat
20 and mouse game with the Federal Government when it
21 comes to pollution?

22 A I don't understand what a cat and mouse game
23 entirely is.

24 Q Let me do it and try to get away with it,
25 that's what I mean by a cat and mouse game.

1 A We actually have an ex-client who I think was
2 doing that in 1986, 1985.

3 Q But they're going to get caught?

4 A As near as I know, the RCRA rules as well as the
5 CERCLA rules are difficult to circumvent and I'm not
6 sure what percentage of people may escape one or other
7 of those regulations. There may be some, I can't say
8 there wouldn't be none.

9 Q Now, but my point is this, simply that one
10 of the main differences in the law as they existed in
11 1980 from the 1950s is that enforcement is much tougher
12 today than it ever was in the 1950s?

13 A Well, the laws and the enforcement is different
14 by a wide margin.

15 Q Now, sir, you used the phrase "dilution is
16 the solution," do you recall that?

17 A Dilution is the solution?

18 Q Yes.

19 A Yes.

20 Q And you said that was basically the
21 philosophy that existed when, in the '50s, '60s, when
22 did that exist?

23 A It mainly existed until the permit called NPDES
24 was instituted sometime around 1970 which required
25 measurement of releases to surface water and required a

1 permit similar to the air permits of a few years
2 earlier and once that regulation became in effect and
3 was enforced, releases to rivers were then measured and
4 permeated as to quantity so that the pollution was
5 permeated as to how much could be released. I think
6 that was the single factor that made the change.

7 Q Now, prior to that back in the days when
8 dilution was the solution, are you saying that that
9 phrase "dilution is the solution" was the license to
10 dump limited quantities of anything you wanted on any
11 body of surface water or on the ground?

12 A No, I don't think it was a license to dump
13 anything anywhere, I think it was a philosophy that
14 caused effluents to be discharged into large bodies of
15 water that would actually dilute it. That's, I mean,
16 that's a requirement of dilution is that there is a
17 large body of water to dilute an effluent.

18 Q Now, when you have up on your conclusions
19 Diamond would not have anticipated, Diamond would not
20 have anticipated as you do in the beginning of 1(a) and
21 1(c) on your conclusions, you aren't telling us that
22 you can actually read the minds of the executives of
23 Diamond Shamrock who were there during the 1950s and
24 1969s -- up through 1969, are you?

25 A Well, this is opinion and that's why the word

1 "would" is there.

2 Q Just an opinion?

3 A If I had a fact, I would say Diamond did.

4 Q So what you're doing is you're bringing
5 your experience, your expertise, your knowledge to this
6 courtroom and you're saying that, in fact, under the
7 totality of circumstances, it is your opinion that a
8 reasonable responsible manufacturer in the position of
9 Diamond Shamrock would not have anticipated. Isn't
10 that correct?

11 A That's correct.

12 Q All right. Now, sir, would you agree with
13 me -- I think we agree, and you correct me if I'm
14 wrong, that dilution was the solution was not a license
15 to dump unlimited quantities of whatever you wanted
16 into the environment?

17 A That's correct.

18 Q Okay, and would you also agree with me
19 that given any type of chemical, any chemical can be
20 toxic and hazardous in strong enough concentrations
21 under the right circumstances, right?

22 A In general, yes.

23 Q Okay, so one thing you have to determine
24 when you're looking at the phrase "dilution is the
25 solution" is (a) what type of chemical am I dumping,

1 correct, to know whether it's reasonable, whether it's
2 reasonable and responsible to dump?

3 A I'm not sure that in those two decades that
4 plant operators were actually that sophisticated that
5 they thought they could dilute one chemical, but not
6 another. I don't really believe there was an awareness
7 of discrimination as to chemical type.

8 Q You're not -- you're saying that in the
9 1950s, you don't think that somebody, a company like
10 Diamond Shamrock, was sophisticated enough to know that
11 if it was disposing of table salt such as came off this
12 process sodium chloride in the Passaic River that that
13 was one thing, but that something like DDT was in a
14 totally different category when it came to the old
15 dilution is the solution solution?

16 A Well, at this particular plant, I think they
17 believe that the flow --

18 THE COURT: Maybe you just ought to wait a
19 minute. Go ahead, please.

20 Q Go ahead.

21 A -- of the river was sufficient to handle
22 whatever they were putting in. I don't -- I didn't get
23 in the record much distinction or, if any, that they
24 were sensitive to the type of chemical.

25 Q Well, sir --

1 THE COURT: Let me ask, would you excuse
2 me just a minute. Do I have the attorneys here
3 in the P.K. Real Estate matter?

4 (Whereupon a discussion is held in another
5 matter.)

6 THE COURT: Fine, let's continue. It will
7 only take a few minutes for me to break in this
8 trial. Go ahead, please.

9 Q Sir, my question wasn't directed to
10 Diamond, my question is the reasonable responsible
11 chemical manufacturer during the 1950s, are you telling
12 this Court that such a reasonable responsible chemical
13 manufacturer would not have the sophistication to know
14 or appreciate that there was a difference between
15 dumping table salt and DDT into a surface water?

16 A I think they approached it from a different
17 aspect which was if the -- whatever material was their
18 effluent, did there look like there was plenty dilution
19 out there to handle it which was not very
20 sophisticated, but I'm sure in this case, there was
21 more cooling water than anything else which probably
22 had the least amount of chemicals in it and I think
23 they understood at this plant that cooling water was
24 less of a pollutant than some other chemical stream
25 because, to my knowledge, they never looked that up to

1 the sewer.

2 Q Sir, I promised I'm going to try and
3 finish within a half an hour and it's only with your
4 assistance and I'll ask the question one more time.

5 I want to know whether in your opinion
6 whether a reasonable responsible chemical manufacturer
7 in the 1950s would appreciate a difference between
8 dumping table salt and DDT into a body of surface
9 water?

10 A Yes.

11 Q Thank you. Would a reasonable responsible
12 chemical manufacturer in the 1950s know that whatever
13 contaminant it was dumping into surface water that the
14 effect would depend upon the ultimate concentration of
15 that contaminant in the surface water?

16 A I do not believe that they understood the effect
17 of concentrations as it might affect the environment or
18 public health to any significant degree.

19 Q Well, now let me ask you that. Are you
20 telling me that in the 1950s, the average reasonable
21 responsible chemical manufacturer did not appreciate
22 that there was a correlation between concentration and
23 the toxicity of any given chemical?

24 A Oh, I think they understood that, yes.

25 Q Okay. During the 1950s, would the average

1 reasonable responsible chemical manufacturer have
2 appreciated that the toxicity of any given chemical
3 varied in terms of the concentrations needed to affect
4 different species of life?

5 A I think there was very little appreciation at
6 that time of plant operators as to dose response,
7 relationships to various environmental media.

8 Q Have you done a literature research, for
9 instance, on DDT?

10 A No, not on DDT.

11 Q All right, so you are totally unaware that
12 in the 1940s and I think it was in 1949, but we will in
13 our case put it into evidence, but you are unaware in
14 the 1940s, the Canadian Government did a research on
15 DDT and found it was more toxic to cold-blooded animals
16 than warm-blooded animals and that it was more toxic to
17 fish, you're unaware of that study?

18 A I have not seen that study.

19 Q Okay.

20 A I don't think that plant managers and operators
21 have seen that study either.

22 Q Now, sir, in your opinion when a
23 reasonable responsible chemical manufacturer in the
24 1950s was going to operate under dilution is the
25 solution, would it have been reasonable and responsible

1 for that chemical manufacturer to have done a
2 literature search particularly with regard to the
3 insecticides and pesticides that it knew it was dumping
4 into surface water that it was controlled and regulated
5 by the State and Federal Government?

6 A I never ran into an operator that said they did
7 such an analysis.

8 Q Okay, you never ran into one, but do you
9 think it would have been reasonable and responsible
10 even given the limited technological knowledge in the
11 1950s?

12 THE COURT: I'm sorry, would you step
13 back? Did we lose the question? We probably
14 did, would you read it back slowly, please, Miss
15 Yunasz

16 (Whereupon the last question is read
17 back by the Court Reporter.)

18 A I would say no because of the limited knowledge
19 that the operations people had.

20 THE COURT: Let me just interrupt if I may
21 a minute, Dr. Wolfskill, and make sure that
22 we're not missing something under an ambiguity.

23 I would suspect without in any way
24 demeaning the intelligence or scientific skill
25 of an operator of a relatively small plant like

1 tnis cnat somebody like Mr. Kennedy or Mr.
2 Burton or one of them wouldn't be spending a lot
3 of time reading the literature and keeping up on
4 scientific or academic research in this whole
5 area.

6 So when we talk of a plant operator, I
7 wouldn't expect the hands-on man or I wouldn't
8 expect the hands-on man running the plant to be
9 watching these things, but I might expect cnat
10 in a large company with staffs of research
11 scientists and people with academic backgrounds
12 that somebody at central headquarters or in a
13 laboratory support group would be watching the
14 literature and keeping abreast of things like
15 that.

16 Isn't that so, don't these companies make
17 an effort to do that?

18 THE WITNESS: Yes, and I think to the
19 extent Diamond had a research group that kept up
20 with the literature, these people probably made
21 these studies and analyses, but I think what
22 determined plant behavior at any one particular
23 location was largely what they felt the public
24 policy at that time regarded effluent.

25 And I think there are good examples

1 along the Passaic River of how public policy was
2 applied to plants along that river during this
3 time and the plant I think normally looked to
4 public policy to tell them as to when enough is
5 enough with regards to releases and that
6 occurred in this plant as it went into the '60s.

7 The public policy continued to reduce the
8 amount of effluent that could be released to the
9 river as well as to the air that they never got
10 around to the subsurface, that never occurred
11 during the life of this plant.

12 But for the river and the air releases
13 between '51 and '69, there were perceptible
14 changes in the combination of law and
15 enforcement of the law that directed the plant
16 to reduce their flow of effluents to the river
17 and so I think they felt they were in proper
18 accordance with public policy.

19 THE COURT: Thank you. Okay, go ahead.

20 Q Sir, basically if I understand that last
21 answer, what you're saying is that you could simply in
22 the 1950s look out at the Passaic River and see a dirty
23 river?

24 A That's what the record says that the river was
25 dirty in those days.

1 Q And that that was the indicia the public
2 policy had reference to, it certainly was one of the
3 conditions?

4 A Well, it was my interpretation in looking at the
5 record in this that the case was that public policy
6 tolerated this portion of the river as a high discharge
7 of effluents.

8 Q And that given the condition of the river,
9 a little bit more wasn't going to hurt?

10 A I think that's correct.

11 Q The old everybody's doing it.

12 A I think everybody is doing it because public
13 policy permitted them to use the river for their
14 discharge.

15 Q Now, to your knowledge, sir, did you find
16 any evidence that Diamond Shamrock, and particularly
17 the Concord Research Lab or wherever it was originally
18 located in the '50s, I think there was some testimony
19 it may have switched or something, did any literature
20 searches on any of the raw materials, the intermediates
21 or finished products manufactured at 80 Lister Avenue
22 during the '50s or the '60s?

23 A I'm afraid I don't understand the question.

24 Q Well, let me rephrase it then. My
25 question is did you find any evidence in your review of

1 any of the materials that Diamond Shamrock did a
2 literature search on the toxicity of any of the raw
3 materials, the intermediates or its finished products
4 at 80 Lister Avenue during the 1950s?

5 A I don't recollect any at this time.

6 Q Okay. Now, --

7 THE COURT: Now, do we have everybody in
8 P. K.?

9 A VOICE: Yes, Judge.

10 THE COURT: Would you just step down for a
11 minute, please, Dr. Wolfskill, and, Counsel,
12 would you just vacate counsel table for a couple
13 or minutes? Leave your papers there, I don't
14 think we'll be very long.

15 (Whereupon a recess is taken.)

16 THE COURT: Let's go back to Diamond
17 Shamrock, please. Okay, we have Dr. Wolfskill
18 back on the stand under oath and whenever you're
19 ready, Mr. Cuyler.

20 Q Doctor, you have your report? I'm sorry,
21 do you have your report, Doctor?

22 A Yes.

23 MR. CUYLER: Judge --

24 THE COURT: Yes, I have it.

25 MR. CUYLER: -- you have a copy?

1 Q We only need one, Doctor. The next to the
2 last figure -- I'm sorry, I think it's the third from
3 the last figure in the appendix, this is the
4 esterification unit. Is that correct?

5 A Yes, that's shown on the drawing.

6 Q Perhaps you can help me, there appear to
7 be lines, vertical lines labeled "drain trench," see
8 those?

9 A Yes.

10 Q Okay, is that typical or customary of the
11 layout of the plant in the '50s and '60s to have drain
12 trenches in the floor?

13 A Yes.

14 Q And they run out to I'm not sure if that's
15 drain trench or drain trough running along out the side
16 of the building?

17 A Yes.

18 Q And that drain trough runs down to a catch
19 box?

20 A Yes.

21 THE COURT: Which exhibit is this? I know
22 that the large exhibit is from the report 363,
23 what page are we on?

24 MR. CUYLER: It's the third from the last,
25 Judge. If I may, what we're doing is --

1 THE COURT: Okay.

2 MR. SPIVAK: Does it have a label on it

3 MR. CUYLER: A-13 is the label.

4 THE COURT: The one I'm looking at has
5 A-13 handwritten and circled.

6 MR. CUYLER: We're looking right up here
7 and out to here.

8 MR. FALLS: Can you show me where you're
9 looking?

10 MR. CUYLER: Yeah.

11 Q And on the other side of the catch box,
12 there's a discharge or at least a line going out,
13 correct?

14 A Yes.

15 Q And where's that going to?

16 A To the Passaic River.

17 Q Thank you, Doctor.

18 MR. CUYLER: That's all I have, your
19 Honor.

20 THE COURT: All right, who would like to
21 go next.

22 MR. MOSER: I would, your Honor, if I may.
23 Thank you.

24

25

1 CROSS-EXAMINATION BY MR. MOSER:

2 Q Dr. Wolfskill, I may have got it wrong
3 yesterday and would like to bring you back to some
4 questions you were asked by Mr. Bates and related to a
5 distinction I thought you were making between
6 environmental impact and environmental damage. Do you
7 recall --

8 A Yes.

9 Q -- the general subject of that testimony?

10 A Yes.

11 Q Would you describe for us what you meant
12 by environmental impact?

13 A Environmental impact is a very close corollary
14 to the word pollution. It's the inversion into an
15 environmental media like surface water or air or ground
16 or ground water certain constituents and in a case like
17 this, chemicals that are not normal to that
18 environmental media and that's -- that is pollution and
19 that is environmental impact on that media.

20 Q And did I understand you to be saying but
21 not all pollution or not all environmental impacts are
22 environmental damage?

23 A That's correct.

24 Q And when does it -- does the impact rise
25 to the level of environmental damage?

1 A Well, under CERCLA law and EPA regulations,
2 there is a long process that is involved in assessing
3 impact or exposure is the typical word used there to
4 receptors which receptor is the environmental media or
5 public, public health, and in that process which
6 includes a risk analysis and certain other statutes, a
7 decision is made by the regulatory agency that an
8 environmental impact has caused environmental damage.

9 Q So in your view, the impact, the
10 environmental impact doesn't reach the level of
11 environmental damage until such time as the regulators
12 conclude that you've exceeded some threshold they
13 consider appropriate at the time?

14 A That's the current system under CERCLA.

15 Q You also made reference I think during
16 your testimony, too, what you called the regular -- I
17 think your words were something like regulatory
18 climate?

19 A Yes.

20 Q And I'm trying to understand whether do
21 you agree based on the studies you've done that at any
22 time between 1951 and 1969 Diamond engaged in
23 discharges which violated laws or regulations
24 applicable to the site?

25 A Well, I think they violated the regulations

1 applicable to the site.

2 Q All right.

3 A As I understand the regulation of that river,
4 one part of the regulation of that river.

5 Q And on how many occasions did they do
6 that?

7 A Well, I don't know how many occasions, I think
8 it was generally a continuing process.

9 Q So you would agree with me that based upon
10 what you've done, it appears Diamond was on a basically
11 continuing basis violating regulations applicable to
12 the plant?

13 A I'm not competent to actually judge a violation,
14 but that's my impression from reading the record.

15 Q All right. You also made a reference
16 again, I'm sorry, my notes weren't perfect, you were
17 discussing I thought the characteristics of soil and
18 the fact, as I got it, that the depth of dioxin
19 couldn't tell much about how -- you couldn't tell much
20 from the depth of dioxin because you need to know
21 things like the permeability of the soil and the means
22 by which the dioxin would have been transmitted, do I
23 have that generally correct?

24 A I don't understand what you cannot tell by the
25 depth of dioxin, cannot tell what?

1 Q Well, what can you tell by the depth of
2 dioxin?

3 A Primarily we can tell what portion of the soil
4 has been impacted by the presence of dioxin.

5 Q You can't tell, for example, the duration
6 that that dioxin has been in that location or on that
7 premises, can you?

8 A Well, at a given sampling like in 1984, you
9 cannot tell, you know, at what time the dioxin that is
10 being measured in the laboratory that it was deposited
11 on that soil particle.

12 Q Right, and, in fact, you can't tell when
13 it was deposited on that site?

14 A Not from the analysis, you know, not from the
15 results of the laboratory analysis, that's correct.

16 Q And, for example, in this case, you're not
17 able to tell us the time at which any particular
18 off-site location was contaminated by dioxin?

19 A Not from laboratory analysis but, you know, only
20 from interpretation.

21 Q Well, I mean you're here today and you've
22 made no study of the off-site distribution of dioxin,
23 correct?

24 A I did not participate as a working member of the
25 team that did the off-site characterization. I have

1 reviewed results of that, but not as a working mem
2 of the team.

3 Q Okay, and you're not able to say as to
4 particular off-site location when that location be
5 contaminated with dioxin except that it was
6 contaminated in 1983?

7 A Well, I have an opinion as to when it was
8 contaminated.

9 Q As to every site that's off-site that
10 contaminated?

11 A I have an opinion as to the migration mechna
12 and how they worked on that site and over the perio
13 time they were working and that they would have car
14 dioxin to various media.

15 Q That's the opinion you gave yesterday
16 which was the transmission mechanisms were in effec
17 from day one?

18 A Most of them were, yes.

19 Q Right, but aren't I correct, sir, that
20 you've done no study to know how much dioxin was
21 exiting the Newark plant at any given time, correct?

22 A Well, that's correct.

23 Q And you've done no study of the
24 distribution of dioxin in the off-site locations?

25 A I've only reviewed the reports, some of the

1 reports, not all of them that give the off-site
2 distribution of dioxin.

3 Q But if you don't know how much dioxin was
4 leaving Newark when, then you can't know when dioxin
5 first hit properties off the site, can you?

6 A Well, I think that's two different issues. When
7 you say how much dioxin such as pounds or ounces or
8 grams, the quantification is not available from the
9 data base. The timing of these releases, though, is
10 available from an understanding of the migration
11 mechanisms of the plant.

12 Q Let me put it another way. You have no
13 way of telling us now at which -- in which year any
14 particular off-site location became contaminated with
15 dioxin to a level that the regulators have subsequently
16 required that it be cleaned up?

17 A That's correct, as regards any location in the
18 neighborhood or any specific location in the river,
19 that's indeterminate as to when any one location
20 off-site was contaminated.

21 Q You've given us an opinion that this plant
22 during the period 1951 to 1969 was a typical plant,
23 Opinion Number 3?

24 A Yes.

25 Q Now --

1 A It was typical as regards to discharge of
2 effluents.

3 Q Now, between 1951 and 1969, how many
4 chemical plants had you been in other than the one in
5 which you were a summer employee?

6 A Well, I worked at various times in plants in
7 Texas, one plant that I can remember in particular, and
8 a plant in Florida and several plants outside the
9 United States.

10 Q I'm asking you now just so the record's
11 clear between '51 and '69.

12 A Yes, and these are the dates, plus I was in and
13 around plants in the Boston area where I lived and
14 worked, but none of them were consistent products in a
15 plant. I was just at a plant or nearby a plant during
16 that period of time.

17 Q Now, these are chemical plants?

18 A Yes.

19 Q So how many chemical plants does that mean
20 you were in between '51 and '69?

21 A Well, the plant in Texas and the plant in
22 Florida plus two in Venezuela for an American company
23 and for the same company in Libya and Aruba.

24 Those are one, two, three, four, five, six,
25 Texas, Florida and three countries outside the United

1 States, I was in those plants on numerous occasions,
2 perhaps 60 or 70 different occasions in those plants.

3 In the Boston area, I did not have a working
4 assignment that I can remember inside a chemical plant,
5 but we had a number of products around the waterfront
6 that was adjacent to plants.

7 Q And at no time when you were in any of
8 those plants was it your responsibility to be concerned
9 with the effluents from those plants. Is that correct?

10 A That's correct.

11 Q Now, you also did other work for Diamond;
12 that is, you've worked on the Greens Bayou?

13 A Yes.

14 Q And when did you work on the Greens Bayou
15 plant?

16 A Between 1980 and I'm still working at the plant
17 under different owners at present.

18 Q You began working on that project in
19 roughly 1980?

20 A Yes.

21 Q And am I correct that that was a situation
22 involving an investigation of both on and off-site
23 contamination --

24 A Yes.

25 Q -- emanating from a Diamond facility?

1 A Yes.

2 Q And could you just tell us in a couple of
3 sentences what was the nature of the pollution
4 emanating from the Diamond facility in Greens Bayou?

5 A It was arsenic and tetrachloride.

6 Q And how was it exiting the plant and
7 getting into off-site?

8 A I think all of this was subsurface migration if
9 I remember well, plus there was because of subsurface
10 migration, there was a surface water release.

11 Q You also worked for the Muscle Shoals
12 plant?

13 A Yes.

14 Q This was for Diamond?

15 A Yes.

16 Q When were you retained to work on that?

17 A I don't remember exactly, I think it was around
18 1981 or 1982.

19 Q And what was the nature of your assignment
20 there?

21 A We investigated the mercury levels in an on-site
22 lagoon that was out of service.

23 Q And what was the purpose of making that
24 investigation?

25 A To determine the distribution of mercury in that

1 lagoon location.

2 Q I know that, but why did you care?

3 A Well, I'm not sure what the purpose -- the
4 purposes of the investigation was used to finally
5 because we did not work at the plant to do anything
6 about it. We merely made an investigation of the
7 distribution of mercury so I don't know what use was
8 made of that information.

9 Q All right, in your expert opinion, why
10 would somebody want to know?

11 A Well, I think they want to know what the
12 distribution of contaminants would be on their site
13 because they might have to do something about it.

14 Q Including, for example, making sure it
15 doesn't get off the site?

16 A Yes.

17 Q And similar, you work at Diamond's plant
18 at Mobile?

19 A Yes.

20 Q And do you recall when that assignment
21 came?

22 A I would think 1983 or 1984.

23 Q And, again, you studied contamination at
24 the Mobile site?

25 A Yes, there was one leak in a lagoon that we

1 studied to see if it had released contaminants.

2 Q And what was the conclusion?

3 A That there was a release to the ground water on
4 the plant site near the lagoon.

5 Q And also you worked at Castle Hayne?

6 A Yes.

7 Q And when did you work there?

8 A Began working there, I believe, in 1982 and we
9 are continuing work there with a different owner.

10 Q And what was the problem for which you
11 were brought to Castle Hayne?

12 A There was a chromium leakage primarily through
13 the floors of that plant into the ground water.

14 Q All right, and what was the nature of your
15 study?

16 A The distribution of chromium in the ground water
17 and the design of a remediation program to remove the
18 chromium.

19 Q When you say the ground water, did you
20 investigate whether the ground water involved --
21 whether the contamination included contamination of
22 ground water which was not on the site?

23 A We investigated the perimeters of the plumes,
24 the area of the contamination which was in the area of
25 the site, so we concluded that the contamination was

1 not off the plant site.

2 Q And the steps you took were designed to
3 ensure that the plume did not move to leave the site?

4 A That's correct, we designed a system to withdraw
5 the plume back.

6 Q On Page 6 of your report, Doctor
7 Wolfskill, you made reference to transmission of dioxin
8 in organic liquids, what did you mean by "organic
9 liquid"?

10 A Well, an organic liquid would be water that has
11 some other kind of organic liquid in it and for the
12 application at the 80 Lister plant, it would be other
13 organic chemicals that are in the water.

14 Q That is other organic chemicals that can
15 be found at the Lister Avenue site?

16 A Yes, that were found at the site.

17 Q And do I understand your report correctly
18 to indicate that dioxin found in a solution in an
19 organic liquid is more mobile, is that what Page 6 of
20 your report describes?

21 A Well, it's not any more mobile, but it can move
22 through the ground water phase in high concentrations
23 than it can in pure water.

24 Q Okay. Now, I'm correct that -- question
25 withdrawn.

1 MR. MOSER: Bear with me a moment, your
2 Honor.

3 THE COURT: Yes.

4 Q With respect to Opinion Number 4 about
5 migration, am I correct, sir, that any migration that
6 is occurring from the Lister Avenue site since, let's
7 say, July 1983 is de minimis.

8 MR. FALLS: Object to the form of the
9 question.

10 THE COURT: Why don't you restate it.

11 Q Would you agree with me that any dioxin
12 escaping from the Lister Avenue site since July 1983 is
13 minimal?

14 MR. FALLS: I object to the form of the
15 question. In one sense, all of these things are
16 minimal since we're talking one part per million
17 or ten parts per million, I think further
18 definition is necessary.

19 THE COURT: Well, I don't think further
20 definition is necessary unless the witness is
21 confused by the question. Is it a question you
22 can sensibly answer?

23 THE WITNESS: Well, I can give a qualified
24 answer which will give my interpretation of the
25 question.

1 THE COURT: Very well, go ahead, please.

2 A I believe the amount that is being released
3 under current conditions and conditions since 1963 --

4 Q '83.

5 A -- in 1983 are very much less than migration
6 prior to that period.

7 Q Okay, it's been now five years, but when
8 did you first work on this facility?

9 A 1983.

10 Q Okay, so you've been there five years and
11 the plant has basically been the same for the last five
12 years or the site has basically been the same since
13 they put the tarp on with the exception of a smokestack
14 that was taken down and some barrels that were removed,
15 right?

16 A No, there's one major change in the site during
17 that period of time and that is the adjacent site, 120
18 Lister Avenue, contains several hundred, it's nearly a
19 thousand large containers that have soil and debris and
20 salvage material that came from off-site that were put
21 back at this site and those materials are not covered
22 by tarp.

23 Q Yeah, I want to direct your attention
24 simply to the site known as 80 Lister Avenue so I'm
25 excluding 120 Lister Avenue.

1 A All right.

2 Q 80 Lister Avenue is today with the
3 exception of that smokestack and barrels essentially
4 the same -- in the same condition it was commencing in
5 and around July 1983. Correct?

6 A I think that's correct.

7 Q And during those five years, you're not
8 suggesting that any dangerous amount of dioxin has been
9 leaving that site and contaminating Newark, New Jersey,
10 are you?

11 A Well, I think it's possible that concentrations
12 in excess of one part per billion can be leaving that
13 site during this period of time.

14 Q Amounts of dioxin are leaving that site
15 sufficient to contaminate someplace else by one part
16 per billion?

17 THE COURT: The one part per billion is
18 contamination so if one part per billion goes
19 out, that's too much.

20 Q Let me ask it another way. Have you
21 advised your client Diamond to take any further steps
22 between July 1983 and today other than they have to
23 prevent migration from 80 Lister Avenue?

24 A No.

25 MR. MOSER: Thank you, your Honor, nothing

1 further.

2 MR. KOEPPF: In light of one of your
3 Honor's questions, Mr. Calogero said I could use
4 one exhibit.

5 MR. CALOGERO: I did allow him.

6 THE COURT: Very well

7 THE WITNESS: Another quiz?

8 CROSS-EXAMINATION BY MR. KOEPPF:

9 Q Dr. Wolfskill I've put in front of you
10 Defendant's Exhibit 690. This is an article from the
11 Journal of American Waterworks Association from 1945
12 entitled "Chemical Engineers' Approach to Industrial
13 Waste Problems." Have you seen a copy of this article
14 before today?

15 A I don't believe so.

16 Q Okay, let me just quickly go through it.
17 On the first page of this exhibit in the lower
18 right-hand corner, would you agree with the statement
19 there that this is now a statement made at least as of
20 1945, "Industrial waste pollution of streams has
21 progressed to the point where many public water
22 supplies are now menaced"? Would you agree to the
23 truth of that statement as of 1945?

24 A Yes.

25 Q Would you agree to the truth of that

1 statement or the accuracy of that statement "also
2 affected is industrial utility of those streams"?

3 A I don't know what this author means by
4 "industrial utility of those streams."

5 Q Okay, let's move on then. The sentence
6 carrying over from the bottom of this page to the next,
7 would you agree with the accuracy of this statement as
8 of 1945, "aquatic life in many streams is menaced if
9 not now extinct"?

10 A That's correct.

11 Q Reading on, would you agree that I'm
12 talking now again about the accuracy of these
13 statements as of 1945, "many streams now have only a
14 limited recreational value while others are entirely
15 unfit for recreational purposes."

16 A Let me give one qualification to be clear.
17 We're talking about 1945 which is a period of time that
18 I have not made very much study on this issue, but it's
19 certainly my opinion that what is being discussed here
20 probably occurred in a number of locations in the
21 United States, not everywhere in the United States, but
22 a number of locations. I have no reason to doubt these
23 are not correct in 1945.

24 Q All right, but you've given testimony
25 about what was known or not known and what was of

1 concern or what was not of concern in the 1950s and
2 1960s, have you not?

3 A Yes.

4 Q Okay, and wouldn't you have to in order to
5 give that opinion know what was of concern as of, let's
6 say, the 1940s?

7 A Not necessarily.

8 Q Well, you don't think if something was of
9 concern in the 1940s it wasn't still of concern in the
10 1950s?

11 A Well, for purposes of this case, I looked at
12 what was actually of concern in the 1950s. These
13 concerns still remain in 1950 and I'm not objecting
14 that they were not also concerns of the '40s.

15 Q Turn to Page 335, it's the third page of
16 this exhibit, and I have to apologize for the poor
17 quality of the copy. It was telecopied to me last
18 night and I'm reading in the middle of the left-hand
19 column.

20 Again, I want to know your expert opinion
21 as to whether in 1945 you believe this is an accurate
22 statement. "The problem of industrial waste," I
23 believe it's disposal, "should receive the same
24 consideration as any processing problem." Would you
25 agree with the accuracy of that statement?

1 A Well, I don't have a basis for that because this
2 is being compared to any processing problem and I'm not
3 versed enough in processing problems, you know, to
4 effect a comparison of those two.

5 Q It's fair to say you wouldn't disagree
6 with it or you're not in a position to disagree with
7 it?

8 A I don't have a basis to disagree with it and I
9 don't have any authentication to disagree with it.

10 Q Let me read on and see as of 1945 this was
11 an accurate statement and again I have to apologize for
12 the poor quality. "It should be subjected to an
13 appropriate amount of research and engineering and
14 economic consideration in determining the most feasible
15 procedure." Would you agree with that statement?

16 A Oh, I think that's right, it should be subject
17 to an appropriate level.

18 Q Now, let me go down a little bit farther
19 at the bottom of the page. Would you agree with the
20 accuracy of this statement as of 1945. It's talking
21 about classification of wastes and in about the third
22 sentence it says "variations" and that's talking about
23 wastes. "Variations will obviously exist among
24 industries engaged in different types of operation and
25 even in the same type of industry depending upon the

1 actual processes employed." Would you agree with that
2 statement?

3 A Well, what variations are we talking about?

4 Q Well, why don't we go over directly to the
5 right of that in the column in the paragraph that
6 begins "chemical waste," do you see that?

7 A Yes.

8 Q Would you agree that "chemical wastes will
9 be of widely divergent types and may contain any of the
10 known chemicals and compounds depending on the raw
11 materials used in the particular industry, the
12 processes involved and the final products
13 manufactured"?

14 A Yes, I believe that's correct.

15 Q Now, turn to the next page, Page 336 under
16 the heading "Internal Plant Studies." Do you have that
17 page in front of you, sir?

18 A Yes.

19 Q Would you agree that this was an accurate
20 statement as of 1945 and I'm reading, "in any plant
21 faced with a problem of treatment and disposal of its
22 industrial trade wastes, investigations within the
23 plant itself should first be conducted"?

24 A Yes, I think any plant faced with a problem that
25 investigation would be one of the first steps.

1 Q Now, let me skip over to the second column
2 on this page, the paragraph beginning "after." Would
3 you agree as to the accuracy of this statement as of
4 1945, "after the internal studies have been completed
5 and corrective steps have been taken, a minimum
6 quantity of waste will actually be leaving the mill and
7 will be in a minimum quantity of water"?

8 A Well, I think that is, you know, it's exactly
9 the issue that the amounts or releases are determined
10 by public policy which is a very localized or site
11 specific area. So that the definition of "minimums" in
12 these cases I think are actually defined by the
13 execution of public policy at a particular plant site.

14 Q Now, let me skip on now to Page 338, do
15 you have that page in front of you?

16 A Yes.

17 Q First of all, let me read this to you and
18 ask whether you were aware that activated carbon
19 filtration systems were available for use in industrial
20 waste disposal systems as early as 1945?

21 A I don't know when they began, but they are an
22 old method of waste treatment.

23 Q Now, I'm going to ask again whether you
24 would agree as of 1945 with the accuracy of this
25 statement, "constituents found in certain industrial

1 trade wastes can be removed by adsorption" -- that's
2 a-d. "For example, on activated carbon, the carbon can
3 be subsequently treated for stripping of the adsorbed
4 material thereby accomplishing recovery of the
5 material"?

6 A Yes, I understand that to be a common process
7 treatment method and this is the treatment method that
8 they finally decided on at this 80 Lister plant.

9 Q And it was a common treatment method as of
10 1945, was it not?

11 A I don't have any data to say how commonly it was
12 used.

13 Q But you're not in a position to disagree
14 with it?

15 A No, I can't.

16 MR. KOEPPF: No further questions.

17 THE COURT: Any further questions?

18 MR. CALOGERO: Yes, your Honor.

19 THE COURT: Go ahead.

20 CROSS-EXAMINATION BY MR. CALOGERO:

21 Q Is it your testimony that the attitudes
22 and perceptions of chemical manufacturers in the 1950s
23 and '60s was such that they would discharge effluents,
24 contaminants and pollutants into rivers and streams?

25 A That's correct.

1 Q And it is also your testimony, Dr.
2 Wolfskill, that the regulatory authorities that existed
3 in the 1950s and '60s if they existed according to your
4 testimony allowed such discharges to take place?

5 A Well, I think they allowed such discharges to
6 take place as they considered were appropriate.

7 Q Now, isn't it true, Dr. Wolfskill, that in
8 the 1950s, there existed an entity called Passaic
9 Valley Sewerage Commission?

10 A Yes, that's what the record says.

11 Q And isn't it true that you, in fact, spoke
12 to Mr. Lubetkin who was the former chief engineer of
13 that commission during the '50s and '60s?

14 A Yes.

15 Q Isn't that correct?

16 A That's correct.

17 Q And you had a ten-minute conversation with
18 him prior to the submission of your report in this
19 case. Is that true?

20 A Yes.

21 Q And, by the way, was there anyone else
22 that participated in that phone conversation that you
23 had with Mr. Lubetkin?

24 A I think Mr. Spivak was on the phone.

25 Q Did Mr. Spivak say anything to Mr.

1 Lubetkin about what he wanted from Mr. Lubetkin with
2 regard to information about the Passaic Valley Sewerage
3 Commission?

4 A Well, I can't be too accurate about who said
5 what, but I think I asked Mr. Lubetkin what I was
6 looking for and Mr. Spivak on the phone introduced him
7 to me.

8 Q And all of this took place in ten minutes.
9 Is that correct?

10 A Well, more or less, I didn't time it.

11 Q And after those ten minutes, you were
12 satisfied that you had done a thorough investigation
13 into the Passaic Valley Sewerage Commission. Is that
14 correct?

15 A I don't understand investigation into the
16 commission.

17 Q Well, you called Mr. Lubetkin and you
18 spoke with Mr. Lubetkin because you wanted to learn the
19 interaction between the Diamond plant and the Passaic
20 Valley Sewerage Commission in the 1950s and 1960s. Is
21 that correct?

22 A No, I had a simpler question than that. I asked
23 him what was the violation record of that plant and how
24 did it compare with other plants in the area.

25 Q And Mr. Lubetkin in those ten minutes was

1 able to remember exactly what took place in the 1950s
2 and '60s in regard to Diamond Alkali and the other
3 plants that ran along the Passaic River, is that your
4 testimony?

5 A No, as a matter of fact, he didn't remember
6 because his response was I don't even remember anything
7 coming up about the company, but I do remember several
8 other companies.

9 After that conversation, he procured records
10 from the Commission to actually see what the violations
11 records were and he found one. That was the 1956
12 violation that we've seen the evidence on and he
13 furnished those records later.

14 Q But didn't Mr. Lubetkin furnish those
15 records to you subsequent to the issuance of your
16 report?

17 A That's correct.

18 Q So again, Dr. Wolfskill, I ask you isn't
19 it correct that your investigation into the interaction
20 between Passaic Valley Sewerage Commission and the
21 Diamond plant consisted of a ten-minute conversation
22 between you, Mr. Lubetkin and Mr. Spivak and that was
23 what information you had when you did this report which
24 is now in evidence?

25 A That's correct, that's the information I had at

1 the time the report was written.

2 Q And isn't it true that you later came to
3 learn from other information that was provided to you
4 by Dr. Lubetkin that there were a number of violations
5 by Diamond Alkali for dumping into the Passaic River?

6 A I don't believe that's correct. I believe a
7 review of the records that he furnished showed that
8 this plant did not have the as serious a violation
9 record as other plants.

10 Q As other plants --

11 A So looking at this record did not change my
12 conclusion in this report.

13 Q Did you look at the other violations by
14 other plants in the 1950s?

15 A I read the list that he took excerpts that he
16 furnished in these documents and I read through those
17 to see what other violations there had been.

18 Q And after you read through those
19 violations, is it still your testimony that there was
20 no regulatory agency that was concerned about
21 discharges into the Passaic River in the 1950s and
22 '60s?

23 A No, I think just the reverse, there was an
24 active agency that did take concern about the
25 discharges in that river. In fact, there was a

1 fish-kill way up north in the upper reaches of the
2 river that was a major violation and a shutdown to stop
3 that by this Commission.

4 So, you know, I interpreted that they were
5 actively on the job, and in some areas, they had
6 certain concerns. In some areas of that river, they
7 had certain concerns and in other areas, they had less
8 concern. That's my interpretation of what I've seen of
9 the record of the Commission's enforcement actions
10 along the river.

11 Q My question to you, Dr. Wolfskill, is
12 after having reviewed those records which Mr. Lubetkin
13 furnished to you subsequent to the issuance of this
14 report that you still will testify and yet you are
15 still testifying that there was no concern by
16 regulatory authorities in New Jersey about discharges
17 into rivers and streams?

18 MR. FALLS: I object, your Honor, he just
19 answered that question.

20 THE COURT: He hasn't testified to that
21 effect.

22 THE WITNESS: Let me hear the question
23 again.

24 MR. CALOGERO: I'll rephrase the question.

25 THE COURT: You don't have to answer that

1 one, ask another one.

2 Q Is it your testimony that there was no
3 concern by any regulatory agencies in the 1950s and
4 '60s about discharges into rivers and streams?

5 MR. FALLS: I object.

6 THE COURT: The answer's no, okay. That
7 just isn't his testimony. His testimony is the
8 level of concern, not what it is now as a broad
9 proposition.

10 Q Let's go into the level of concern. Is it
11 your testimony that these regulatory authorities did
12 not have concern over the amounts that were being
13 discharged into rivers and streams?

14 A That's too specific for me to understand their
15 concern. What the record shows is that they had less
16 concern in this portion of the Passaic River than they
17 had in others, that's one thing that the record shows.

18 I believe they had some level of concern in all
19 reaches of that river including where this plant was
20 located.

21 Q My testimony -- my question to you now,
22 Dr. Wolfskill, is were they concerned about the amounts
23 that were going into the river and I'm referring now to
24 the entire strip of river?

25 A What I noticed in the record is that they were

1 primarily concerned about things like odor or oil sheen
2 or visible things like color and about pH and I never
3 saw a reference that they were concerned about release
4 of a certain kind of organic chemical in a certain
5 concentration. That's a concern that did not get
6 developed in this country very well until after the
7 mid-'70s.

8 Q Well, isn't it true that those records
9 contained a reference in February or March of 1956 that
10 the Passaic Valley Sewerage Commission cited the
11 Standard Dye and Finishing Company in Paterson where its
12 dye waste overflowed from a collection pit on its
13 property into the river?

14 A I don't remember that incident, but it's
15 probably one I saw in the record.

16 Q And isn't that the type of practice that
17 Passaic Valley Sewerage Commission would be concerned
18 about in the 1950s and '60s?

19 A Oh, I think the record shows what they were
20 concerned about and, you know, it shows what their
21 action was towards those concerns.

22 Q Doesn't the record of the Passaic Valley
23 Sewerage Commission which you reviewed also show that
24 in April 1956, Flintco Company was cited for a white
25 discharge from a storm sewer that was going into the

1 Passaic River and that that discharge was traced to
2 settling beds of the Flintco Company where its cleaning
3 operations were in progress? Is that the type of
4 discharge that the Passaic Valley Sewerage Commission
5 was concerned with in the 1950s?

6 A That had to be one of them because it was a
7 documented action.

8 Q And aren't those the same types of
9 discharges that were going on at the Diamond plant in
10 the 1950s?

11 A That's right, and we have the record of what the
12 Commission's level of concern at this plant site was,
13 what it actually was.

14 Q Now, is it your testimony that there was
15 no concern in the 1950s and '60s by regulatory agencies
16 concerning ground water contamination?

17 A It was very minimal, if at all. I'm sure there
18 must be some places in the United States in those
19 periods of time that there were ground water concerns,
20 but it was not a typical event in any regulatory agency
21 that I know of.

22 Q I show you what has been marked as
23 Defendant's Exhibit 692. Defendant's Exhibit 692 is a
24 labeled statement of Ott Chemical Company in Michigan
25 at 505 Agard Road in Section 32, Dalton Township,

1 Muskegon County and it's also needed an "order of
2 determination."

3 Isn't this type of concerns that were part
4 of the states in this country, these were the types of
5 concerns that these states had for ground water
6 contamination in the 1950s and '60s?

7 MR. FALLS: I think the witness should
8 have a chance to read the document.

9 THE COURT: All right, take a few minutes
10 to take a look at it.

11 A Well, I've read about two-thirds of this and I
12 think this is an order of determination in which a
13 company applied to discharge or inject cooling and
14 condensing waters, human sewerage and industrial
15 process waters directly into the ground water.

16 We're not talking about a leaking situation
17 here, we're talking about an injection well to use
18 ground water to dispose of these wastes and without
19 summarizing it too accurately, this commission
20 authorizes that with certain levels of constituents
21 that can be in the water that's injected and on the
22 second page, it gives specifications of what are the
23 ranges of the contaminants that would be acceptable and
24 what would not. I think that's the general gist of
25 this order.

1 Q And would you agree with me that the State
2 of Michigan was concerned about its ground water, then?

3 A Well, that's correct.

4 Q Does this change your testimony that there
5 was little concern about ground water contamination in
6 the 1950s and '60s?

7 A My testimony is not in the context of a disposal
8 well that is injecting waste directly into the ground
9 water, my testimony has to do with spills and leaks
10 that enter the ground water that are not, you know, a
11 conscious act like this is talking about so I think
12 those are different issues.

13 Q So your testimony now is that you're just
14 concerned about whether or not any government agencies
15 were concerned about leaks and spills in the 1950s and
16 '60s from chemical plants in ground water. Is that
17 your testimony now?

18 A My testimony is that accidental leakage into the
19 ground and, hence, into the ground water receive very
20 little, probably not zero, but very little note from
21 regulatory agencies in the '50s and '60s and that
22 opinion does not include disposal wells such as this
23 act is talking about.

24 Q Now, during your research into your
25 report, were you aware that the State of New Jersey had

1 a State Department of Health and a Bureau of Public
2 Health Engineering back in 1960?

3 A Well, I don't remember the names of their
4 agencies, but I'm sure they have agencies that do those
5 sorts of functions.

6 Q And isn't it true that their functions
7 were to go to chemical plants and observe whether or
8 not certain effluents were being discharged into
9 streams and creeks?

10 A Well, I don't know what their, you know, the
11 charge of those agencies was. Again, I think it's
12 common practice that there are agencies like that who
13 have similar kind of assignments, but I can't tell you
14 exactly what the assignments were of those agencies.

15 Q I show you what has been premarked as
16 Defendant's Exhibit 688 and 689. Have you ever seen
17 reports of this type prior to today, Dr. Wolfskill?

18 A Well, let me look at these reports to see what
19 type they are. Well, I believe these types of agency
20 inspection reports are very similar to the function
21 that the Sewerage Commission in the Passaic Valley
22 performed and perhaps because there was a river
23 commission, these agencies may not have inspected the
24 plants along the Passaic.

25 I don't know that because I don't remember

1 seeing any reports from this agency in this record, but
2 these were the kinds of inspection reports that the
3 Sewerage Commission were performing and I think there
4 were some like this on the Diamond plant.

5 Q Isn't it true, Dr. Wolfskill, that these
6 reports indicate that as early as 1960, and in some
7 cases there is a reference to 1959, that there were
8 regulatory agencies in the State of New Jersey that
9 were concerned about discharges of effluents from
10 chemical plants into streams and creeks?

11 A That's correct.

12 Q And isn't it correct, and looking at
13 Exhibit 689, that these authorities were conducting
14 analyses of the types of materials that were going into
15 streams in New Jersey. Isn't that correct?

16 A Let me read the report. Exhibit 689 is an
17 inspection or report of a particular plant that shows
18 that samples were taken and certain general chemical
19 analyses were taken to characterize the effluent.

20 Q Characterize the effluent, is that what
21 your testimony is?

22 A Yes, you know, such as suspended solids and
23 soluble ash and there's a table at the end of this
24 exhibit that shows what they tested for.

25 Q And that table was done, was it not,

1 because the state agency that did it was concerned
2 about the types of discharges into streams and rivers.
3 Isn't that correct?

4 A Well, they had a concern expressed by the scope
5 of this document.

6 Q Does this change your testimony that no
7 one in the 1950s and '60s was concerned about the types
8 of effluents into the river and streams of New Jersey?

9 A Well --

10 MR. FALLS: Object to the question.

11 THE COURT: Mr. Calogero, the witness has
12 never said that. You keep coming back to that
13 and planting that statement on him.

14 MR. CALOGERO: I'll put the word "little"
15 in front of concern.

16 MR. FALLS: I still object to it.

17 THE COURT: Well, now, there's an
18 arguably, you know, answerable question. Why
19 don't you just restate it a little.

20 Q Dr. Wolfskill, was it your testimony that
21 agencies in the 1950s and '60s particularly in New
22 Jersey had little concern about discharges of effluents
23 into the rivers and streams?

24 A I don't remember a statement that is exactly
25 what you just said. What I said is that there was

1 little concern of agencies and not necessarily New
2 Jersey as regards the release of effluents in rivers
3 compared to the concern that there is today.

4 Q So it's your testimony that Diamond could
5 not have anticipated the concern that there is today,
6 is that what you're stating?

7 A Oh, I think that's correct.

8 Q In fact, Dr. Wolfskill, could anyone have
9 anticipated that concern?

10 A Well, I don't believe that anyone operating in
11 those dates would have anticipated that they were going
12 to have substantial environmental damage in a CERCLA or
13 RCRA or any of these current statutes in that sense
14 back when they were operating.

15 Q But, you would state, would you not, that
16 these documents do show that there was some concern by
17 some agencies over the types of discharges of effluents
18 into rivers and streams?

19 A That's correct.

20 Q And the document concerning Ott Chemical
21 Company shows, would you not concede, that there was
22 some concern about a regulatory agency on ground water
23 contamination back in that time period?

24 A That's correct.

25 Q It's your testimony that the concern today

1 is greater and that Diamond could never have
2 anticipated that great a concern?

3 A That's correct.

4 Q Now, you've stated that as part of the
5 industrial practices that you were comparing Diamond's
6 activities to that you visited or that you have visited
7 a number of plants in your work. Is that correct?

8 A Yes.

9 Q And that some of these plants included
10 Dow, Exxon, Shell, Tenneco. Is that correct?

11 A Yes.

12 Q And again, if I'm wrong, just tell me I'm
13 wrong, is it your testimony that Diamond operated
14 according to similar practices that those companies
15 operated in the 1950s and '60s?

16 A Yes.

17 Q And is it your testimony that these
18 companies in the 1950s and '60s would knowingly
19 discharge into a river or stream after it had been
20 warned by a regulatory agency not to?

21 A Well, I don't know that I know the violation
22 history in each one of these plants from the point of
23 view of continuing to discharge in a river after they
24 had been ordered not to discharge anything into the
25 river. I mean I interpret your question, you know, as

1 a pretty severe restriction by a regulatory agency.

2 Q Well, you're aware of the history of this
3 plant, the Diamond plant, is that not correct?

4 A Yes.

5 Q And you're aware that there were
6 discharges into the river subsequent to an order by the
7 Passaic Valley Sewerage Commission to stop those
8 discharges. Is that correct?

9 A I don't believe there was an order that said
10 stop all discharges to the Passaic River.

11 Q There was a violation given to them,
12 wasn't there?

13 A That's right, but not the former statement.

14 Q Isn't it true that the Army Corps of
15 Engineers advised Diamond in 1965 to stop discharging
16 into the river?

17 A Well, I don't know that those were the exact
18 words used by the Corps of Engineers.

19 Q I'm not concerned about the exact words
20 that they used. Isn't it true that they visited the
21 plant in 1965 and told the plant to stop discharges
22 into the river? That may not have been the exact words
23 they told the plant manager, but isn't that what they
24 did?

25 A I don't know if that's what they did.

1 Q Well, weren't you here when Mr. Kennedy
2 testified?

3 A Yes.

4 Q Weren't you here when Mr. Steward
5 testified?

6 A That's correct.

7 Q And didn't these individuals testify that
8 the Army Corps of Engineers came in 1965 and told them
9 to stop discharging?

10 MR. FALLS: I object, your Honor, casting
11 his recollection of the testimony he heard.

12 THE COURT: Well, you can ask him if he
13 remembers that. If he does, you can ask a
14 follow-up question. Do you remember testimony
15 along those lines that Mr. Steward indicated?

16 THE WITNESS: I don't remember any
17 testimony that said all discharges which I
18 interpret your question to be all discharges
19 to be stopped and I think that's a significant
20 difference between all or stop some particular
21 stream.

22 Q They were told to stop some particular
23 stream. Is that correct?

24 A That's what I remember, yes.

25 Q And isn't it true after that was said,

1 there were still discharges into the river?

2 A There continued to be discharges into the river
3 as to my understanding throughout the operation.

4 Q That's your understanding of the
5 operations at the Diamond plant. Is that correct?

6 A That's my understanding.

7 Q Now, my question to you is is your
8 testimony that the other companies which you knew about
9 and which you know about and which form the basis for
10 your opinion as to what industrial practices were in
11 the 1950s and '60s, is it your testimony that the
12 practice of those companies were such that they would
13 knowingly discharge effluents into a river after it had
14 been ordered by a regulatory agency to stop a
15 particular discharge?

16 MR. FALLS: I object to that, your Honor,
17 because the witness has not conceded that they
18 were told to stop all discharges.

19 MR. CALOGERO: I'm assuming that that
20 testimony is in the record, your Honor.

21 MR. FALLS: There is no such testimony.

22 THE COURT: Well, suppose they say stop
23 putting muriatic acid into the stream and you
24 stop doing that, but you keep dumping salt in, I
25 guess you haven't violated from what they told

1 you to do unless the witness is able to remember
2 what the direction was and whether there was
3 compliance with the direction.

4 Q And you don't recall what the particular
5 violation was at that particular meeting is your
6 testimony, Dr. Wolfskill?

7 A I don't understand what particular violation
8 you're asking about.

9 Q You don't understand what the particular
10 effluent was the subject of the investigation by
11 Diamond in 1965?

12 A No, I don't remember which one was in '65.

13 Q But if Diamond was told to stop a
14 discharge in 1965 and subsequently continued to
15 discharge a particular acid into the river after that
16 warning, is it your testimony that that would have been
17 a standard industrial practice in the 1950s and '60s?

18 A It may not have been typical, but I think there
19 are plenty of instances where specific releases
20 continued even after that specific release had been
21 cited. I don't know if there's enough of those to call
22 that a typical practice, but it was not uncommon.

23 Q That's the way that these companies that
24 you visited would have done business. Is that correct?

25 MR. FALLS: Object to the form of the

1 question.

2 THE COURT: Well --

3 MR. CALOGERO: Judge, he said it's not
4 untypical, it's a typical question.

5 THE COURT: Well, you can respond to that.

6 THE WITNESS: I better hear it again.

7 THE COURT: Would you read it back slowly,
8 please, Miss Yunasz.

9 (Whereupon the last question is read back
10 by the Court Reporter.)

11 A Well, I think there's a few of these companies
12 that, in fact, continue to release to surface water
13 after there had been citations or comments or concerns
14 issued by regulatory agencies. I don't, and as I said
15 before, I don't think that was the average practice,
16 but it was not uncommon.

17 Q Now, you did testify that you've read at
18 some point the deposition of John Burton. Is that
19 correct?

20 A Yes.

21 Q And did not Mr. Burton testify at some
22 point in that deposition that there was an alarm system
23 at the plant to warn people at the plant when there
24 were going to be inspections by regulatory agencies, do
25 you recall that?

1 A Yes, I think that's in his deposition.

2 Q Now, my question is is that the type of
3 practice that was done or would have been done by other
4 companies in the 1950s and '60s and specifically the
5 companies that you were familiar with and which form
6 the basis for your standard industrial practices?

7 A I don't know exactly, but I do know that in
8 nearlyly every company I have been in, all visitors --
9 and this would include inspectors -- must report, check
10 in, and the notice of their arrival is passed out among
11 the plant.

12 I mean I've been in plants where such a call
13 came in that the Texas Water Commission representative
14 or somebody is here to inspect the plant, so I don't
15 believe that whether they're called alarm systems or
16 notice system, I think a notice system is very common
17 in plants.

18 Q I'm not referring to a notice system, I'm
19 referring to what Mr. Burton stated in his deposition,
20 an alarm system to warn people at the plant to stop
21 discharges, that's the type of system that I'm
22 referring to, and my question is is that the type of
23 system that in your experience was common in the 1950s
24 and '60s?

25 A I don't think I have enough experience or detail

1 to know what operators did as a result of the notice
2 that there's an inspector in the plant.

3 Q Now, you also read Mr. Burton's deposition
4 where he stated that on a regular basis, acids were
5 dumped into the river when the company could not get a
6 proper market price for it. Is that correct?

7 A I believe that was in Burton's testimony.

8 Q And is it your testimony that that
9 practice by Diamond was the type of standard industrial
10 practice in the 1950s and '60s?

11 A I think so.

12 Q You think so?

13 A Yes.

14 Q Think it was right?

15 A Well, I'm not in a position to judge public
16 policy. I mean --

17 Q Excuse me, Dr. Wolfskill, you used the
18 term "public policy" when Mr. Cuyler --

19 MR. FALLS: May the witness answer the
20 question?

21 THE COURT: You may ask the question, you
22 may even ask it with vigor, but let Dr.
23 Wolfskill finish.

24 THE WITNESS: I finished my answer, I'm
25 not sure it was recorded.

1 MR. CALOGERO: Only the loudest get
2 recorded.

3 THE COURT: Let's read what you have by
4 way of an answer.

5 (Whereupon the last answer is read back by
6 the Court Reporter.)

7 THE COURT: You're not in a position to
8 judge public policy, do you want to leave it at
9 that?

10 THE WITNESS: Let me just leave it at
11 that.

12 THE COURT: Mr. Calogero, would you like
13 to ask a question?

14 MR. CALOGERO: Yes.

15 Q Didn't you just testify this morning in
16 response to a question by Mr. Cuyler and you used the
17 term this was public policy to do these practices in
18 the '50s and '60s, didn't you use the words "public
19 policy"?

20 A I used the word "public policy," yes.

21 Q And in what context did you use it then?

22 A Well, the context I believe I used it then and
23 what I generally believe is that discharge practices as
24 well as, you know, the intended and the unintended
25 version generally are responsive to public policy which

1 includes the framework of legislation or rules plus the
2 enforcement of those rules and I think plant operators
3 everywhere are sensitive to the combination of those
4 two.

5 They perceive that as the public policy which
6 sets the limits as to what they should be doing and I
7 think Diamond was responsive to public policy during
8 these two decades.

9 Q And are you saying that they were
10 responsive to public policy and that that's the reason
11 they dumped muriatic acid into the river when they
12 couldn't get their market price?

13 A Well, I don't know that the reason they dumped
14 it was that public policy permitted it.

15 Q But you did say public policy did permit
16 discharge of effluents?

17 A It certainly did.

18 Q Now, did you read the testimony of Mr.
19 Ingley who testified in this case very early?

20 A No.

21 Q If I were to tell you that Mr. Ingley
22 testified that when he visited the Diamond plant in the
23 1950s that he had to wear rain gear and rubbers in
24 order to visit the plant, would you dispute me on that?

25 A Well, I don't have any basis to dispute it or

1 accept it.

2 Q And would it be standard industrial
3 practice in the 1950s and '60s to run a plant in such a
4 manner that people who had to visit it would have to
5 wear rain gear and rubbers?

6 A Well, I think it's common practice in chemical
7 plants that protective clothing is generally worn
8 appropriate to the conditions. I mean I've worn a lot
9 of protective clothing myself, you know, up on various
10 visits to various plants.

11 Q Is it your testimony that companies would
12 regularly issue rain gear and rubbers to others who
13 visited the plant?

14 A Well, it's very common today that things like
15 hard hats and respiratory face masks and eye goggles
16 and rubber boots and I've been in plants where they
17 issue me a jacket to where.

18 Q What about the '50s and '60s?

19 A I can recollect one time in '64 I was in a plant
20 that I wish I had some protective clothing, but I
21 didn't have any, but I'm sure that protective clothing
22 was issued at some places in the '50s and '60s and I
23 think in this record, there is some indication that
24 protective clothing such as changes or clothes, work
25 clothes, et cetera, was a feature in this plant.

1 Q During your review of documents in this
2 case, did you review those documents which concerned
3 the condition of the ester plant in 1968 at the Diamond
4 facility?

5 A I particularly remember some memo that I guess
6 was trying to justify money for rehabilitating that
7 plant and part of it, I think, was a description of
8 conditions in the ester plant.

9 Q And didn't those conditions generally
10 state that the floors were railing dangerously and the
11 footings underneath tank legs were completely gone
12 permitting saturation of soil with products?

13 A I can't verify those exact words without looking
14 at the documents, but that's my sense of content, some
15 of the content that was in that document.

16 Q And is it your testimony that that was
17 standard industrial practice in the 1950s and '60s to
18 have such conditions exist?

19 A Oh, I think that's correct.

20 Q And is it your testimony that even when
21 these conditions were found to exist that they would
22 continue to exist to up to a year afterward?

23 A I don't have a good way of judging what the
24 response -- the average response time would be at a
25 plant to making some change.

1 Q Are you aware or did you become familiar
2 at all, Dr. Wolfskill, with the operation of the
3 autoclave at the Newark facility and when I'm referring
4 to the autoclave, I'm referring to the vessel that
5 produced TCP?

6 A My only familiarity with the process in general
7 which includes the autoclave is to understand that we
8 had such pieces there. I'm not an expert in
9 understanding what went on within a reactor or any
10 other process equipment.

11 Q Do you understand or did you have an
12 understanding that it was in that autoclave that dioxin
13 was created?

14 A That's what I understand.

15 Q And is it your understanding that the
16 creation of dioxin in that autoclave was related to the
17 temperature that was applied to that autoclave?

18 A I don't understand that situation of temperature
19 versus dioxin content.

20 Q And you have no understanding at all as to
21 how temperature relates to dioxin in the autoclave?

22 A Well, I don't have sufficient information to be
23 able to give any testimony on it. I've read the record
24 and I generally know what it says about it, but that
25 would not be sufficient to give you any testimony on

1 what the relationships are.

2 Q Wouldn't that information be important in
3 your determination as to whether or not dioxin was at
4 the site and where?

5 A Well, where, yes, because the autoclaves
6 happened to be a position where dioxin was, but the
7 amount of an impurity produced in a process is not a
8 major consideration on the types of discharges that are
9 going on at the plant which was my study of the plant.

10 Q What if a company had the knowledge that
11 it was creating a contaminant that was causing a
12 serious skin condition to its workers in the 1950s and
13 '60s and had knowledge that by improvising the method
14 that it produced a certain product that it could reduce
15 that contaminant and reduce its effect among its
16 workers, okay, do you understand so far?

17 A Well, I think I generally understand your
18 hypothesis.

19 Q And what if that company made a conscious
20 decision in 1960 and 1961 to not modify its procedure,
21 but continue running the procedure so that it would
22 keep producing high amounts of that contaminant, would
23 it be your testimony that that would be a standard
24 industrial practice in the 1950s and '60s?

25 MR. FALLS: Object, your Honor, this

1 witness has not given any testimony about worker
2 health and safety.

3 THE COURT: He has not, his testimony on
4 direct dealt with discharges into the
5 environment so we're going beyond what he
6 testified to.

7 MR. CALOGERO: Well, your honor, my sense
8 of environment includes the environment that the
9 workers were in and, indeed, it's the same
10 environment which is now contaminated and which
11 is the source of this lawsuit and it's the same
12 contaminant --

13 MR. FALLS: That's not accurate, your
14 honor.

15 MR. CALOGERO: It's not accurate that the
16 workers weren't in this plant?

17 THE COURT: They were in the plant, but if
18 you feel that you're competent to answer the
19 question, please go ahead and do it, Dr.
20 Wolfskill.

21 A Well, I was going to say I am not competent to
22 answer that question because it speaks to the operation
23 of a production in the plant and my testimony is
24 limited to the discharge into the environment
25 mechanisms.

1 Q In giving your opinion and in giving your
2 report, you weren't concerned with the production
3 processes at the plant?

4 A Only to the extent of what they were and where
5 they moved dioxin around so I could understand where
6 dioxin might enter the environment.

7 Q But isn't your testimony concerned with
8 what were the standard industrial practices of plants
9 concerning discharges and how they operated their
10 equipment in the 1950s and '60s?

11 A No, it's limited to discharges, not to how they
12 operate their equipment.

13 Q So you're not concerned at all with how
14 Diamond operated their equipment?

15 A I'm not competent to judge how they operated
16 their equipment.

17 Q Don't you think that it would be important
18 in giving your opinion to know how they operated their
19 equipment in the '50s and '60s before you could give a
20 statement that it operated its plant in a typical
21 manner for the 1950s and '60s?

22 A My testimony has to do with the typical nature
23 of the discharges to the environment and not the
24 typical process operations of a plant.

25 Q You aren't concerned about that at all?

1 A Well, only to the extent that I need to know
2 where chemicals are in order to understand how they get
3 access to the environment.

4 Q Well, you stated that you went on this
5 facility in 1984. Is that correct?

6 A Yes.

7 Q And at that time, isn't it true that the
8 facility had a tarp over it?

9 A It had a tarp over the ground.

10 Q There was no tarp or anything covering the
11 buildings there. Is that correct?

12 A That's correct.

13 Q And how many times from 1984 up to the
14 time that you spoke to Mr. Gordon Steward in April of
15 1987 were you at this facility at 80 Lister Avenue?

16 A I think I went inside the fence one other time,
17 but I don't remember exactly when it was, but I've been
18 to the site outside the fence probably two or three
19 other times.

20 Q Did you during the time period 1984 to
21 when you met Mr. Steward in April 1987 ever study any
22 drawings or documents about that plant?

23 A Yes.

24 Q Did any of these documents and drawings
25 concern equipment at that plant?

1 A That's right.

2 Q And is it your testimony that up until the
3 time that you met Gordon Steward, you had no idea where
4 dioxin could have been created at that plant?

5 A No, that's not correct, I had some information
6 when I was working at the site.

7 Q Did you have any information about where
8 TCP went from one location at the plant to another?

9 A Whoever the guide was that took me through all
10 of these buildings, and I've forgotten what individual
11 that was, told me we're now at this, we're at the
12 autoclave, we're at something else, we're at something
13 else so he identified all these items as we walked
14 through the plant, but that was in 1984.

15 Q How long did you meet with Mr. Steward in
16 April 1987?

17 A Pretty much all day.

18 Q And had you ever met him before that?

19 A Actually I think I have met him in some other
20 context, but I don't remember exactly where it was. He
21 has told me that we had met before on some other type
22 of business.

23 Q At the time you met him, he was a
24 consultant for Diamond Shamrock, was he not?

25 A That's what I believe.

1 Q He was being paid as a consultant. Is
2 that correct?

3 A I guess he was working on a consultant basis at
4 a plant in Alabama for Diamond.

5 Q So would it be fair to state that your
6 sole knowledge about the plant equipment and the
7 process at that facility came from Mr. Steward and the
8 drawings that you reviewed?

9 A No, that's not correct, I also had a tour of all
10 the equipment and was shown the equipment and given at
11 that time the description what it was.

12 Q But that tour did not include anything
13 regarding how TCP was produced and where it went after
14 it was produced?

15 A No, I think it generally did out it's not, you
16 know, something that I remembered well enough to do
17 without Gordon Steward's assistance for me to actually
18 put on that map where dioxin would be in the system.

19 Q Going to the map and I'm going to refer
20 you now to Figure 3 which contains the dots, the
21 circles and the Xs.

22 Is it not correct that you had the
23 information available to you prior to your meeting with
24 Mr. Steward as to the locations of dioxin at the site
25 and what those Xs, circles and triangles represented.

1 Is that correct?

2 A I had the information where dioxin was in the
3 ground.

4 Q And you went to Mr. Steward and after
5 talking to Mr. Steward, you had sufficient knowledge to
6 do the dot exhibit which is P-440. Is that correct?

7 A Yes.

8 Q And after your conversation with Mr.
9 Steward, it's your testimony you had sufficient
10 knowledge to draw Figure 2 which concerns likely
11 contact zones for dioxin. Is that correct?

12 A That's correct.

13 Q And you then used Figures 1 and 2 and
14 matched it up to the information that's in Figure 3.
15 Is that correct?

16 A No, we used the information off of Figure 2 and
17 matched it with the test data out of the projects
18 reports in order to get Figure 3.

19 THE COURT: Mr. Calogero --

20 MR. CALOGERO: I have about five minutes.

21 THE COURT: Go ahead.

22 Q But, Dr. Wolfskill, you already had the
23 information from Figure 3. Is that correct? You
24 already knew where dioxin was located on the site.
25 Isn't that correct?

1 A Well, on the ground, but before we did the
2 exercise, I didn't know where it was on the plant.

3 Q Well, wasn't that exercise really a
4 meaningless exercise in light of the fact that you knew
5 very early where dioxin was located at the site?

6 A No, I don't think it was a meaningless exercise.

7 Q You didn't have to use the information in
8 Figures 1 and 2 to show you where dioxin was located in
9 the ground because you already knew that information in
10 1984. Isn't that correct?

11 A That's half the information and I knew that
12 early.

13 Q By the way, when you found the missing two
14 dots, what time was that, was it two weeks ago that you
15 found out you missed two dots?

16 A Yes.

17 Q And those two dots were in the TCP
18 purification unit, correct?

19 A Yes.

20 Q And isn't it true that Mr. Steward didn't
21 tell you anything about dioxin at the TCP purification
22 unit when you met him in 1987?

23 A No, that's incorrect. On the appendix of my
24 report, he has those points marked, we just didn't pick
25 those up when we made Figure 1.

1 Q When you refer to the appendix --

2 A The appendix of my report is Gordon Steward's
3 notes.

4 Q -- you're talking about the A-1 drawings?

5 A Yes, A-1 through whatever they are, 15.

6 Q Now, your testimony earlier by Mr. Moser
7 was a discussion of where dioxin was located or where
8 it was not located off-site. Is that correct?

9 A Generally, yes.

10 Q Are you able to give an opinion as to how
11 dioxin got to the Hug Holdings property?

12 A Well, I don't know where that property is.

13 Q Well, if you don't know where it is, then
14 you really can't give an opinion, can you?

15 A Well, that's right, if I don't know where the
16 property is, it might not be in Newark.

17 Q It is in Newark. Are you able to give an
18 opinion as to how dioxin got to the Farmers Market?

19 A Well, again, I have to have information to know
20 that that's in the area that received dioxin, you know,
21 from this plant.

22 Q You have, as you sit here today, you
23 cannot give an opinion as to how dioxin got to the
24 Farmers Market?

25 A No, until I find out where the Farmers Market

1 is.

2 Q Are you able to give an opinion as to how
3 dioxin got to the Newark Box Board?

4 A No.

5 Q Are you able to an opinion as to how
6 dioxin got to Lockwood Avenue?

7 A Yes, I think I remember where Lockwood Avenue
8 is.

9 Q Are you able to give an opinion as to how
10 dioxin got to Brady Iron and Metals?

11 A Yes.

12 Q Isn't it true it got there because there
13 was some equipment from the site buried there?

14 A That's my understanding that equipment from the
15 site was taken.

16 Q There was a specific time period when that
17 was done. Is that correct?

18 A I think it was more than one and I don't know
19 how many time periods equipment was taken to Brady.

20 Q Are you able to give an opinion as to how
21 dioxin got to the Hildeman property?

22 A I don't know where that property is.

23 Q If you're not able to give an opinion as
24 to how it got there, you can't give an opinion as to
25 when it got there. Isn't that true?

1 A Well, I can't give an opinion when it got to
2 that property because I don't know where that property
3 is.

4 MR. CALOGERO: All right, no further
5 questions, your Honor.

6 THE COURT: Any more defense?

7 MR. SHAHRIARI: Tom Shanriari for
8 Firemen's Fund. I don't know if your Honor
9 wishes to take a break.

10 THE COURT: Well, I'd rather if we can
11 manage it finish it and get the witness out of
12 here. We're trying to get him back down to
13 Texas this morning.

14 CROSS-EXAMINATION BY MR. SHAHRIARI:

15 Q Dr. Wolfskill, you'll have to forgive me
16 if I ask you some questions which show some naivete'
17 with regard to soil and soil conditions, but can you
18 tell me in the field of soil analysis, are there
19 different types or categories of soil that have been
20 developed by scientists?

21 A Yes, there's several soil classifications
22 systems that classify different types of soil.

23 Q Have you ever made a determination of the
24 types of soils that are underneath the 80 Lister site?

25 A Yes, I've reviewed the records that gave me

1 soil classifications at the site.

2 Q You personally have not made the
3 determinations?

4 A I personally have not taken the samples, but I
5 reviewed the data and reviewed the reports.

6 Q Okay, what are the types of soils that are
7 underneath this site?

8 A There is a general fill material that occupies
9 the first several feet of the site which is generally
10 underlined by an organic silt which is down about 15
11 feet or so and underneath that is a sand and gravel
12 formation.

13 Q And now far below all of this is there an
14 impermeable layer?

15 A Of those three strata, the most impermeable
16 strata is the organic silt which is the second one
17 done.

18 Q Does that define a set aquifer?

19 A The organic silt defines the aquifer which sits
20 above it in the fill, it doesn't define the ground
21 waters below that layer.

22 Q Then am I correct in saying that there is
23 more than one aquifer than one site?

24 A The word "aquifer" may not be the appropriate
25 word, but there is ground water in the fill. There's

1 ground water in the silt also and there's ground water
 2 in the sand. Below the sand at some depth there's
 3 bedrock, there's also ground water in that bedrock.

4 Q And am I correct in saying that there are
 5 different rates of water permeability in different
 6 types of soil?

7 A Yes.

8 Q Has anyone ever made a determination of
 9 the rate at which ground water permeates the soil at 80
 10 Lister Avenue?

11 A Yes, I believe that's in the project reports.

12 Q Do you recall what the rate is?

13 A Well, generally, the flow rate in fill is high,
 14 I don't remember the permeability numbers that go with
 15 it, but it's a high rate of flow. The organic silt is
 16 a very low rate of flow and in the sand is an
 17 intermediate rate of flow.

18 Q Now, if one knows the rate of flow in
 19 ground water, can one determine by some formula how
 20 rapidly materials that are in the ground water will
 21 move over time?

22 A Yes.

23 Q Has anyone ever tried to make a
 24 determination of how rapidly dioxin or any of the
 25 organic constituents have moved over time from the 80

1 Lister Avenue site?

2 A Well, you just made a major distinction when you
3 said how rapidly. Chemicals are moving in the ground
4 rather regime because that can be quite different from
5 how fast the ground water itself moves.

6 I don't think an analysis has been made that
7 would predict solar transport which is the term applied
8 to the movement of chemicals in ground water. The
9 investigation has primarily documented where those
10 chemicals are located in the ground water and in the
11 soil at the time of the investigation.

12 Q But is it, in fact, scientifically
13 possible to make such a determination?

14 A That's correct.

15 Q And no one has made a determination?

16 A Well, I'm not sure no one has.

17 Q You're not aware of any?

18 A The dioxin determination is very difficult
19 because it easily adsorbs to soil particles and stops,
20 out the water continues on, but the water could have
21 some dioxin in the water.

22 Q Isn't that true of DDT as well?

23 A I think DDT is highly insoluble and acts very
24 much as dioxin does.

25 Q Okay, am I correct in saying as you move

1 further away from a point source of contamination that
2 the contamination of the concentration of the ground
3 water is generally less?

4 A I think that's true for the ground water. The
5 only ground water samples that were taken inside the
6 fence are all in fairly close proximity.

7 I mean the site is small and so I don't think
8 there's a lot of difference in those. There is an
9 off-site ground water well which has been tested in
10 the --

11 Q Sir, I don't mean to cut you off, but my
12 question is relatively simple. If I drop a certain
13 organic substance onto the ground at point (a), as we
14 move further away from point (a), doesn't that
15 concentration decrease?

16 A Normally it does.

17 Q Okay, do we know what direction the ground
18 water flows under the 80 Lister Avenue site?

19 A Yes.

20 Q Which direction is that?

21 A In the fill which is the top layer, it flows
22 toward the river and I've forgotten the direction that
23 it flows in the sand underneath. I don't believe it's
24 north directly toward the river, seems like it's at
25 some angle to the river flow or to the river direction.

1 Q Now, is the direction of flow of the
2 ground water underneath the 80 Lister Avenue site
3 constant or does it change?

4 A I think it's pretty constant. I don't believe
5 that there's a lot of change in ground water flow in
6 either that fill or that first sand below it.

7 Q How about the rate of flow?

8 A I think the rate of flow changes. The fill
9 is -- generally, the rate of flow is largely determined
10 by rainfall in these kinds of events. I don't believe
11 the sand underneath the silt is affected materially by
12 rainfall.

13 Q Dr. Wolfskill, I'd like to change my
14 questions and go to this exhibit which has the Xs,
15 triangles and little zeros on it, holes on it. The Xs,
16 as I understand your testimony, represent the highest
17 concentration points, correct?

18 A Correct.

19 Q And you determined those from a study that
20 was done prior to your becoming involved in this whole
21 thing or it was not a study that you did?

22 A Well, it was a study that I was working with in
23 1984.

24 Q Oh, good, okay. Well, the samples that
25 were used to make the determination of concentrations

1 of dioxin at these Xs, Os and triangles, how's the
2 sampling done? What size sample was taken?

3 Specifically, what size sample was taken?

4 A I think they were generally three inches in
5 diameter and about six inches long.

6 Q Okay, so at each one of those points, you
7 took a sample of six by three inches?

8 A Generally.

9 Q I take it it was a cylindrical sample?

10 A Yes.

11 Q Did anybody ever make another sample,
12 let's say, a foot away from the first sample?

13 A I don't know of any sampling that was done that
14 close together.

15 Q How about two feet or three feet away?

16 A No, I don't think the -- I don't think a study
17 was made as to what we might call micro-distribution of
18 dioxin in horizontal terms of inches or few feet.

19 Q So there was no effort made to determine
20 the concentration in a given area, but rather you just
21 determined the concentration of dioxin on this specific
22 three-inch place?

23 A Well, that's right, the samples all represent a
24 small discreet piece of real estate and the analysis
25 numbers go with that sample.

1 Q Okay, so -- well, let me strike that
2 question. From those three-inch samples, you made a
3 determination that it was more likely than not that
4 this -- these Xs represented point sources of dioxin
5 contamination, I think you described them as leaks or
6 drips or what have you?

7 A Yes, based on the trend and the distribution of
8 all of the points, not any one particular point, but
9 the trend of all of the points, I made an
10 interpretation determination that the likely entry was
11 due to leaks primarily through the floor slab.

12 Q All right, let me ask you this. Some of
13 these Xs are not on floor slabs, correct, they're
14 outside on the ground?

15 A That's right, some are through the floor and
16 some are right outside the floor.

17 Q Let me ask you if somebody took a hose and
18 connected it to a TCP tank that had dioxin,
19 contaminated TCP in it, and spilled it over a very
20 large area, you would expect to find the large number
21 of points within that area of that spill which had high
22 concentrations of dioxin, is that a correct assumption?

23 A If enough was put out there on the ground, I
24 think, you know, whatever that area of that spill would
25 be would likely show concentrations in the range of one

1 part per million which were the Xs.

2 Q So with regard -- I'm sorry, I didn't want
3 to cut you off. So with regard to the Xs down on the
4 ground, you can't tell us whether that is the result of
5 a discreet pinpoint three-inch spill or whether it's
6 part of a much much larger spill because nobody ever
7 took samples from anywhere around that X?

8 A And my interpretation would be if you took a
9 sample a foot away, you would be likely get a similar
10 large number although it would generally be erratic,
11 but if 20 samples were taken, say, in the size of this
12 room in an area that had a spill over the entire room,
13 the numbers would jump around, but the trend of all of
14 them would generally represent the intensity of that
15 spill.

16 Q What I'm trying to get at, though, is
17 nobody ever determined that so it is possible that this
18 X that's out here on the soil is part of a much larger
19 spill?

20 A That's correct.

21 Q Okay.

22 A And that actually would be my interpretation is
23 that if you took other samples in the vicinity of those
24 Xs, you would get more Xs.

25 Q Okay. Now, did anybody ever take a sample

1 from, say, a foot underneath one of these Xs? Q.

2 A Yes. In fact, the sampling protocol was at all
3 the places, there were surface samples as opposed to
4 boring samples. There were samples taken first six
5 inches, second six inches and the higher of the two
6 values is what I used and then there was a sample taken
7 of the next 12 inches so at every one of those points,
8 there is a number that is from, say, 12 to 24 inches.

9 Q Okay, now about four feet below?

10 A Well, then there were a few taken deeper and
11 then at the boring locations, they went down 15, 20, 30
12 feet.

13 Q Well, I'm specifically speaking of these
14 locations here.

15 A But some of those are borings and some of those
16 are the shallow surface samples.

17 Q I think you agreed with me that as you
18 move away from a point source of contamination, a
19 concentration level is decreased so if we were to find
20 a level where you had a surface concentration -- well,
21 strike that, surface concentration of a hundred parts
22 per million and then you moved four feet down and you
23 found the concentration of hundred parts per million as
24 well, would that tend to lead you to conclude that
25 there had been a leakage over a prolonged period of

1 time?

2 A That gets to the nature of the rate of
3 flow-through of material and I actually think the rate
4 of flow-through this fill which that's the material
5 we're talking about since it's the upper soil, there
6 probably -- these flowed fairly quickly and went on
7 down the profile.

8 THE COURT: I think perhaps we better
9 stop.

10 MR. SHAHRIARI: Your Honor, I have just
11 one very basically last question.

12 Q How long has mankind known of the
13 existence of ground water?

14 A I have no idea.

15 Q Would you guess it's a couple centuries at
16 least?

17 A Oh, far more than that.

18 MR. SHAHRIARI: Thank you, nothing
19 further.

20 THE COURT: Are there other defense
21 questions? All right, I see we have several.
22 We're at this stage where we'll stop. Let's
23 keep it moving rather quickly when we get back.

24 (Whereupon a recess is taken.)

25 THE COURT: Please be seated, ladies and

1 gentlemen. Dr. Wolfskill remains on the stand
2 under oath. Who wants to go next?

3 MR. FAVETTA: Antonio Favetta on behalf of
4 AIG.

5 CROSS-EXAMINATION BY MR. FAVETTA:

6 Q Dr. Wolfskill, am I correct that the only
7 samples that you utilized in arriving at your
8 conclusions with respect to Point Number 2 was surface
9 samples at the site?

10 A That's correct.

11 Q Okay, you did not use any boring samples.
12 Is that correct?

13 A That's correct.

14 Q And am I also correct based on your
15 earlier testimony I believe in response to questions by
16 Mr. Koepf yesterday that the samples were done by
17 someone other than yourself?

18 A That's correct.

19 Q And who was that?

20 A The surface samples in the zero to 12-inch from
21 the locations called surface sampling --

22 Q I'm only concerned with that.

23 A Well, I'm making a distinction between surface
24 samplings and borings, they each had shallow samples in
25 them. The borings were done by my firm and some of

1 those locations were samples taken from soil borings,
2 the shallow samples were taken by employees at I.T.
3 Corporation.

4 Q Just so we have it straight, you only
5 relied on the shallow surface samples in reaching your
6 conclusions. Is that correct?

7 A That's part of the basis for that conclusion,
8 but that's correct, only those samples were used. I
9 have only one correction. I think there's a sewer
10 sample that is deeper and the sump samples may be
11 deeper than 12 inches because the sumps are probably
12 deeper than 12 inches so those are the only exceptions.

13 Q I think you also described those surface
14 samples as biased samples and not in any pejorative
15 sense. Is that correct?

16 A The part of the program that was called shallow
17 samples was a biased sample. The boring system was not
18 a biased system.

19 Q My question is only with respect to
20 shallow surface samples from now on.

21 A And they come from both kinds of exploration.

22 Q Okay, so that the shallow surface samples
23 that you're talking about came both from shallow tests
24 as far as the boring tests, is that what you're saying?

25 A Yes.

1 Q And you used both of those?

2 A Yes, I used everything that had a test value
3 that was in the zero to 12-inch range.

4 Q Okay. Is it fair to say looking at
5 Figure -- I guess this is the overlay's D-687 that has
6 the color Xs and dots and triangles that the majority
7 of the samples were taken at areas in close proximity
8 to the process equipment?

9 A Process equipment, yes.

10 Q And would it be fair to say that there are
11 relatively few samples taken in areas away from the
12 process equipment?

13 A I would say that there are fewer samples taken
14 away from the two buildings that had the process
15 equipment in it.

16 Q The biased samples that were taken by I.T.
17 were basically to determine whether there was dioxin on
18 the site present in concentrations above action levels.
19 Is that correct?

20 A Yes.

21 Q They weren't looking to determine how the
22 dioxin got into the soil. Is that correct?

23 A I think that's correct.

24 Q And, therefore, they went to the heart of
25 the matter, so to speak, and went to where they would

1 most likely find the dioxin in those concentrations
2 which was near the process equipment, correct?

3 A Well, they did not only look there.

4 Q Well, if we look at the concentration of
5 dots, circles and Xs, you would agree with me that they
6 took more samples nearer the process equipment than
7 otherwise?

8 A That's correct.

9 Q And going to your Conclusion Number 2, the
10 soil samples that you looked at were soil samples,
11 therefore, that came from areas near the process
12 equipment as opposed to those areas not near the
13 process equipment. Is that correct?

14 A No, it was taken from all the samples, some are
15 near and some are not near.

16 Q But you will agree with me that there were
17 more samples closest to the process equipment than
18 otherwise?

19 A That's correct.

20 Q So the samples that you looked at and you
21 looked at all of the samples and I don't disagree with
22 that, you would be looking at samples that came closest
23 to the process equipment?

24 A I don't think I looked at samples that tended to
25 be close to the process equipment, I looked at all the

1 samples.

2 Q Fair enough.

3 A The density of the sampling doesn't have much to
4 do with this analysis.

5 Q Okay, so that you looked at all the
6 samples, but because of the way the sampling was done
7 by someone else, there were more samples available from
8 areas closer to the process equipment than otherwise.
9 Is that correct?

10 A Yes.

11 Q So, therefore, would it be fair to say
12 that you could rephrase Conclusion Number 2 to read the
13 highest concentrations of dioxin contamination in the
14 biased soil samples at the Lister Avenue site correlate
15 well with the locations where TCP or 2,4,5-T products
16 were manufactured or stored, et cetera, would that be a
17 fair restatement of your conclusion?

18 A I don't think that would be an accurate
19 restatement of the conclusion.

20 Q Would not be accurate?

21 A I don't think so. Well, I mean not exactly. I
22 mean I haven't thought enough about that language to
23 know how accurate it is or what it means, but what
24 impresses me is that that is not how I would state the
25 exercise.

1 Q Well, one soil samples you were looking at
2 were biased soil samples in that they were not evenly
3 distributed across the entire grid for 80 Lister
4 Avenue?

5 A No, they're not correct, some of the samples
6 were biased and some were not biased. There's a
7 collection of sampling schemes on that chart, some
8 biased, some unbiased, I looked at all of them.

9 Q Okay, but going back to my previous
10 question, I think you agreed with me that of all the
11 samples that you had more of them that were from areas
12 closest to the process equipment. Is that correct?

13 A That's correct.

14 Q So instead of calling it biased for
15 purposes of my question, let's say weighted samples.
16 There were more in one area than another, the soil
17 samples you were looking at were from the weighted
18 samples?

19 A Well, that's not a correct way to state the
20 result of that analysis.

21 Q I'm not asking about the result, I'm
22 asking about the number of samples?

23 A But then you are going to a result and in
24 talking about the sampling system and I don't think
25 that is a correct way to characterize the result of the

1 analysis.

2 Q You looked at more samples that were
3 closer to the process equipment than otherwise?

4 A Yes.

5 Q Even though you looked at all the samples?

6 A That's correct.

7 Q Okay, based on what you looked at, that
8 suggested to you that the proximity of the location
9 between the process equipment and the concentrations of
10 dioxin, that the contamination at the plant site
11 occurred as a result of numerous small accidental leaks
12 and spills?

13 A That's because the high values were all over at
14 the process plant and there were no high values in the
15 other samples.

16 Q And are you telling me that you looked at
17 samples from every point, let's say, every two feet or
18 every three feet for the entire grid of the site?

19 A No, I looked at the samples that were available.

20 Q And that's because you didn't have samples
21 for, let's say, every two feet or every three feet at
22 the entire site, you looked at what I.T. gave you. Is
23 that correct?

24 A I looked at the samples that were available.
25 There are enough samples that are away from those

1 process equipment to be significant as far as hitting a
2 high value.

3 Q Well, Doctor, then would it be significant
4 if, for example, I told you for purposes of my question
5 that there were, let's say, a dozen places where you
6 got X values, high values, what have been marked here
7 in red, would that be a significant factor in your
8 hypothesis?

9 MR. FALLS: Object to the form of the
10 question because I don't understand it.

11 A I don't understand the question.

12 Q Okay, your hypothesis is to create a
13 correlation between proximity of the process equipment
14 to the density or intensity, if you will, of the dioxin
15 that was found near it. Is that correct?

16 A Yes.

17 Q And from that proximity, that correlation
18 even though it's not a statistical one, you say you
19 reach a conclusion that the way in which the dioxin
20 reached the ground, the soil, was as a result of
21 numerous small accidental leaks and spills. Isn't that
22 correct?

23 A Yes.

24 Q And one of the factors that you considered
25 in reaching that was the absence of any high values in

1 other locations. Is that correct?

2 A That's correct.

3 Q But we don't know if all the other
4 locations were tested. Is that correct? In fact, I'll
5 rephrase the question. We know that all the other
6 locations were not tested. Is that correct?

7 A That's correct.

8 Q And, therefore, you would agree that if I
9 told you that there wasn't a result which showed high
10 values at those other locations, that would impact on
11 your hypothesis. Is that correct?

12 A That's correct.

13 Q And, yet, you agree that you never had the
14 data to test that hypothesis. Is that correct?

15 A No, I believe that this data array which is a
16 combination of the biased sampling scheme and unbiased
17 sampling scheme are statistically significant and
18 that's my judgment without making calculations,
19 statistically significant based on that array of data
20 points. We have enough points to make this assessment.

21 Q That's your judgment?

22 A That's my judgment.

23 Q Okay, but you do agree with me that the
24 individuals that were conducting the data sampling were
25 doing it for a different reason, they were not looking

1 to prove or disprove a hypothesis, they were simply
2 looking to find out whether dioxin was on the site and
3 whether it exceeded action levels. Is that correct?

4 A Yes.

5 Q And that the best place to look for that
6 was close to the process equipment as opposed to not
7 close to the process equipment. Is that correct?

8 A Yes.

9 MR. FAVETTA: Thank you, no further
10 questions.

11 MR. QUINN: Thomas Quinn on behalf of
12 Evanston.

13 THE COURT: Mr. Quinn, come on up.

14 CROSS-EXAMINATION BY MR. QUINN:

15 Q Dr. Wolfskill, in response to a question
16 posed to you by Mr. Moser, I believe that you stated
17 that you could not tell when any one particular
18 location off-site was contaminated and when the river
19 was contaminated by dioxin. Is that correct?

20 A I could not tell any one place in the river or
21 any one place on land.

22 Q Okay. Now, in your conclusions, I believe
23 in Conclusion 2 that you said that dioxin got out at
24 least from the process itself through a series of
25 accidental leaks and spills. Is that correct?

1 A Yes.

2 Q And those occurred in varying degrees
3 between '51 and '69?

4 A Yes.

5 Q Okay, so you had roughly 18 years of leaks
6 and spills?

7 A That's what I would expect.

8 Q Okay. Now, also your report lists various
9 migration mechanisms. Is that correct?

10 A Yes.

11 Q Okay, some of those are wind, air and soil
12 movement?

13 A Yes.

14 Q And they would be continuous in varying
15 degrees over the course of time?

16 A Most of them are continuous for the whole
17 period.

18 Q Okay, and I believe that you had testified
19 that a migration of dioxin off-site began somewhere in
20 about '51?

21 A I would expect that it began at the beginning of
22 the period.

23 Q Okay, so from that point of time up until
24 today, you're really talking about 36 years of
25 migration of dioxin off-site?

1 A Yes.

2 Q Now, what was the action level off-site
3 for dioxin? What was the action level that the DEP was
4 using?

5 A There was a cleanup period in the off-site level
6 and the action level were one part per billion.

7 Q Okay, is that a relatively low threshold?

8 A Oh, I think it is a low threshold, yes.

9 Q Extremely low?

10 A Well, I think it is from my own assessment which
11 is not -- my assessment is not authorized the way this
12 system works, what I believe about this, but I think it
13 is a very low number, yes.

14 Q Okay, and your expertise, you have
15 familiarity with the movement of soil and the erosion
16 of soil, things of that sort, that's in your area of
17 expertise?

18 A Among other things, yes.

19 Q And that's in your area of expertise?

20 A Yes.

21 Q Now, we know that the dioxin was found
22 off-site sometime in 1983, do we not?

23 A Yes.

24 Q Now, the day that that was found, you're
25 not telling this Court that the dioxin just happened to

1 get there the day before, are you?

2 A I don't believe that's possible.

3 Q Okay, that's extremely unlikely, correct?

4 A Yes.

5 Q And, in fact, would it be fair to say to a
6 degree that it's more probable than not that the dioxin
7 off-site had gotten there prior to 1983?

8 A Oh, I feel sure that dioxin had gotten off-site
9 before 1983.

10 Q And it's more probable than not that it
11 had gotten there before 1981, correct?

12 A Well, I think it is highly probable that it got
13 there in every one of those years.

14 Q Okay, and would it be fair to say that it
15 had gotten there to the one part per billion action
16 level prior to 1981?

17 A* I would think in most of the areas that were
18 affected that that would be true.

19 MR. QUINN: I've nothing further.

20 MR. CALOGERO: Your Honor, I have one
21 question and if your Honor allows me, I'll ask
22 it.

23 THE COURT: Okay, go ahead.
24
25

1 CROSS-EXAMINATION BY MR. CALOGERO:

2 Q Dr. Wolfskill, because it was common
3 practice in the 1950s and 1960s to have spills and
4 leaks, is it your conclusion that no matter how gross
5 the conduct of Diamond Shamrock, the results would
6 always be accidental?

7 A I don't know how to understand what "gross
8 conduct" is. I don't know the meaning of that, so I
9 don't know how to answer that question.

10 Q No matter how bad the conduct of Diamond
11 Alkali was in running this plant in the 1950s and '60s,
12 the results according to you would always be
13 accidental?

14 MR. FALLS: Object to the form of the
15 question.

16 THE COURT: Yeah, I really think, Mr.
17 Calogero is really interested in accidental in
18 the view of the insurance law.

19 MR. CALOGERO: No, your Honor.

20 THE COURT: And that's not what Dr.
21 Wolfskill is here for.

22 MR. CALOGERO: No, I'm referring to
23 accidental as he refers to it in Conclusion 2.

24 THE COURT: Do you understand the
25 question?

1 THE WITNESS: Well, the part that I cannot
2 speak to is the judgment of good or bad or gross
3 because I actually believe the company operated
4 within public policy at the time. Therefore, I
5 don't see now I can use the words bad, gross.

6 Q Would their conduct ever get to the point
7 where the results would be other than accidental?

8 MR. FALLS: I object to the form of the
9 question.

10 THE COURT: Well, do you understand what
11 the question means, Dr. Wolfskill?

12 THE WITNESS: Well, there's now the scope.

13 A I concluded that part of the releases were
14 accidental and part of these releases were planned.
15 Now, for the part that were accidental, I'd say the
16 answer's yes, I think they would all be accidental as a
17 result of their conduct for those releases that were
18 accidental.

19 Q And for those releases that were planned,
20 are you saying that those releases are not encompassed
21 in Conclusion 2?

22 A Conclusion 2 is limited to accidental releases
23 that cause contamination of the plant site.

24 Q I understand that.

25 A Okay, within that scope, I think their

1 conduct -- their conduct was consistent with the
2 accidental releases that are included in Conclusion
3 Number 2.

4 Q No matter how mismanaged that conduct
5 would appear to be today?

6 MR. FALLS: Object to the form of the
7 question.

8 THE COURT: I'll sustain the objection.

9 MR. CALOGERO: Nothing further then,
10 Judge, thank you.

11 THE COURT: Anything further on the
12 defense side? Do you have any redirect?

13 MR. FALLS: Very few questions, your
14 Honor.

15 REDIRECT EXAMINATION BY MR. FALLS:

16 Q Dr. Wolfskill, let me direct your
17 attention to one of these diagrams which appears in the
18 back of your report. It's the third one from the end
19 and it's the one that I think Mr. Cuyler questioned you
20 about and let me ask you to look very carefully at a
21 legend which appears in the lower right-hand corner and
22 look at it closely and see whether you can read it to
23 me. It's a little hard to make out, that's why I ask
24 you to look at it quite carefully.

25 A Well, this is a note, this drawing was

1 originally made by, I don't know the name of the
2 company, Blank associates in 1957. I believe that's
3 '57. Equipment locations have not been revised.

4 Q Could I ask you again to look at that date
5 and can you confirm to me whether that is a 2 or a 7?

6 A The last digit, the fourth digit?

7 Q The 1952 or 7?

8 A That's right, the last digit is a 2, it's the
9 next to the last digit. Looks like a 5, but I'm not
10 sure, but the last digit is a 2, it's not a 7.

11 Q Thank you.

12 MR. CUYLER: That's what it looks like to
13 you, Doctor. Oh, I'm sorry?

14 THE WITNESS: I'm saying that's 1952, the
15 highest uncertainty is the 5.

16 Q Now, you were asked about some of the
17 depositions that you read prior to giving your
18 testimony today. Did the depositions which you read
19 include depositions of a number of employees at the
20 plant who worked there during some period of its
21 operation?

22 A Yes.

23 Q Let me read you a few names and ask you
24 whether you happen to recall whether the names I read
25 you are the names of people whose depositions you read.

1 Mysko.

2 A Yes.

3 Q Centanni.

4 A Yes.

5 Q Blair.

6 A Yes.

7 Q Scurman.

8 A Yes.

9 Q Bradsnaw.

10 A Yes.

11 Q Okay. Now, with respect to the samples
12 which you used in the developing of the chart which has
13 been marked as Plaintiff's Exhibit 612, I think you've
14 testified on a number of occasions that you used only
15 the shallow soil samples?

16 A That's correct, zero to 12-inch depth range.

17 Q Now, why did you use those samples rather
18 than some outer samples?

19 A I was interested in the distribution of dioxin
20 at its first contact with entry which would necessarily
21 mean a very shallow sample, the only exception being at
22 the sewer or sump where it's a little deeper.

23 Q Now, I think you were asked about
24 something which was called wiped sample?

25 A Yes.

1 Q What is a wiped sample?

2 A A wiped sample is a sample taken from the wall
3 of a room such as this so that a chemical affixed to
4 the wall might be analyzed in the laboratory.

5 Q And you testified, I think, that you did
6 not use those samples?

7 A That's correct.

8 Q And why did you not use those samples?

9 A Well, those samples are not reflective of where
10 dioxin would enter the subsoil because they are not
11 samples of the subsoil.

12 Q Now, I think you were also asked about
13 chip samples?

14 A Yes.

15 Q What are chip samples?

16 A Chip sample is similar to a wipe, but it's
17 actually a chip of the wall or chimney or whatever's
18 being sampled is taken to the laboratory and the chip
19 itself is analyzed.

20 Q And you did not use the chip samples?

21 A That's correct.

22 Q And why did you not use the chip samples?

23 A Because, again, they do not represent a dioxin
24 concentration in the shallow soil.

25 Q Now, I think you've already made clear

1 that you did not use the samples taken at levels deeper
2 than the first 12 inches?

3 A That's correct.

4 Q Did you review those samples?

5 A Yes.

6 Q And did anything about them suggest to you
7 that your analysis should be changed or modified?

8 A No.

9 MR. FALLS: That's all I have, your Honor.

10 THE COURT: Anything further on the
11 Defendants? Fine. We're finished then with Dr.
12 Wolfskill. We'll excuse Dr. Wolfskill.

13 THE COURT: Let's go to Mr. Backer. Who
14 is going to be doing the questioning.

15 MR. GROARK: I am, your Honor.

16 JOHN S. BACKER, sworn.

17 DIRECT EXAMINATION BY MR. GROARK:

18 THE COURT: Have a seat there, Mr. Backer.

19 Whenever you're ready, Mr. Groark, go ahead.

20 Q Mr. Backer, would you state your present
21 position?

22 A My present employment is with Aetna Life and
23 Casualty. I'm an assistant vice-president in the
24 Commercial Claim Department.

25 Q And would you describe your education